**Designation List Report** 

<u></u>	Gazneli, Tamir	2024-09-04
	P's Narrowed	01:31:32
	D's Counters	00:09:36
	TOTAL RUN TIME	01:41:08
	Documents linked to video:	
	P34	
	P44	
	P59	
	P60	
	P62	
	P63	
	P64	
	P65	
	P66	



	Gaziieli4_30FM_vei 1		
DESIGNATION	SOURCE	DURATION	I D
7:01 - 7:03	Gazneli, Tamir 2024-09-04	00:00:06	Gazneli4_30PM_v
	7:01 MR. PEREZ-MARQUES: Mr. Gazneli, could		er1.1
	7:02 you state your name for the record?		
	7:03 A. My name is Tamir Gazneli.		
23:23 - 24:03	Gazneli, Tamir 2024-09-04	00:00:08	Gazneli4_30PM_v
	23:23 Q. So you joined NSO Group in May 2015,		er1.2
	23:24 is that correct?		
	23:25 A. Yes.		
	24:01 Q. How did you come to work for NSO		
	24:02 Group?		
	24:03 A. It is not May. It is November.		
25:09 - 25:11	Gazneli, Tamir 2024-09-04	00:00:05	Gazneli4_30PM_v
	25:09 Q. You started at NSO as a security		er1.3
	25:10 researcher, is that correct?		
	25:11 A. Yes.		
28:09 - 28:19	Gazneli, Tamir 2024-09-04	00:00:40	Gazneli4_30PM_v
	28:09 Q. In December 2017 you were promoted		er1.4
	28:10 to Security Research Team Leader. Is that		
	28:11 correct?		
	28:12 A. Yes.		
	28:13 Q. And what were your responsibilities		
	28:14 as Security Research Team Leader?		
	28:15 A. I was responsible for the team		
	one of the research teams in the research group.		
	28:17 Q. And what did that research team		
	28:18 focus on?		
	28:19 A. Android applications.		
28:20 - 28:21	Gazneli, Tamir 2024-09-04	00:00:05	Gazneli4_30PM_v
	28:20 Q. Which Android applications?		er1.5
	28:21 A. Not specific, just any.		
28:22 - 28:23	Gazneli, Tamir 2024-09-04	00:00:04	Gazneli4_30PM_v
	28:22 Q. Did that work relate at all to		er1.6
	28:23 WhatsApp, the work of that team?		
28:25 - 28:25	Gazneli, Tamir 2024-09-04	00:00:02	Gazneli4_30PM_v
	28:25 A. Part of the team.		er1.7
29:06 - 29:09	Gazneli, Tamir 2024-09-04	00:00:08	Gazneli4_30PM_v
29:06 - 29:09		00:00:08	Gaznell4_30PM_V er1.8
	29:06 Q. How many people why don't we		611.0

P's Narrowed D's Counters 2 / 56

DESIGNATION	SOURC	E	DURATION	I D
	29:08	WhatsApp as part of the team that you led as		
	29:09	Security Research Team Leader?		
29:12 - 29:12	Gazneli	, Tamir 2024-09-04	00:00:01	Gazneli4_30PM_v
	29:12	A. Four.		er1.9
29:21 - 30:10	Gazneli	Tamir 2024-09-04	00:01:06	Gazneli4_30PM_v
	29:21	And you supervised that team that was		er1.10
	29:22	working on WhatsApp during your time as Security		
	29:23	Team Research Leader.		
	29:24	A. Yes.		
	29:25	Q. And what were they doing with		
	30:01	respect to WhatsApp?		
	30:02	A. Research application.		
	30:03	Q. Again for the purpose of developing		
	30:04	installation vectors?		
	30:05	A. I will say it is developing the		
	30:06	software required for gaining access.		
	30:07	Q. As I understand it, installation		
	30:08	vectors are software required to gain access. Is		
	30:09	that consistent with your definition of what an		
	30:10	installation vector is?		
30:13 - 30:23	Gazneli	Tamir 2024-09-04	00:00:23	Gazneli4_30PM_v
	30:13	A. Can you define access? When you say		er1.11
	30:14	"access" what do you mean by access?		
	30:15	Q. You said access. "I will say it is		
	30:16	developing the software required for gaining		
	30:17	access." What did you mean by access?		
	30:18	A. Access to the data which resides on		
	30:19	the endpoint.		
	30:20	Q. That reside on the endpoint?		
	30:21	A. Yes.		
	30:22	Q. Meaning the target device?		
	30:23	A. Yes.		
31:04 - 31:08	Gazneli	Tamir 2024-09-04	00:00:17	Gazneli4_30PM_v
	31:04	Q. Okay. And so the team that was		er1.12
	31:05	working on WhatsApp that you supervised as		
	31:06	Security Research Team Leader was investigating		
	31:07	WhatsApp in order to develop software that could		
	31:08	be used to gain access to target devices?		
	31.00	be used to gain decess to target devices.		

P's Narrowed D's Counters 3 / 56

			Guziicii+_50i M_vci z		
DESIGNATION	SOUR	CE		DURATION	I D
	31:11	A.	The team was researching Android		er1.13
	31:12		related applications and services in order to gain		
	31:13		access to the endpoint data.		
	31:14	Q.	Okay. Now, you testified previously		
	31:15		that they were doing work you gave us the four		
	31:16		names who were doing work related to WhatsApp?		
	31:17	A.	Yes.		
31:18 - 31:19	Gaznel	li, T	amir 2024-09-04	00:00:02	Gazneli4_30PM_v
	31:18	Q.	And what work were they doing		er1.14
	31:19		related to WhatsApp?		
32:05 - 32:10	Gaznel	li, T	amir 2024-09-04	00:00:13	Gazneli4_30PM_v
	32:05	Α.	To understand how the application		er1.15
	32:06		works.		
	32:07	0.	For what purpose?		
	32:08	_	As I stated before, in order to		
	32:09		build the software that gets access to the		
	32:10		endpoint's data.		
37:24 - 38:01	Gaznel	li, T	amir 2024-09-04	00:00:11	Gazneli4_30PM_v
	37:24	Q.	What is a 0 click exploit?		er1.16
	37:25		An exploit that does not require any		
	38:01		interaction from the target.		
39:01 - 39:07	Gaznel	li, T	amir 2024-09-04	00:00:16	Gazneli4_30PM_v
	39:01	Q.	Let me ask it this way. You		er1.17
	39:02		understand that Heaven you are familiar with		
	39:03		the Heaven installation vector?		
	39:04	A.	Yes.		
	39:05	Q.	And that worked via WhatsApp, is		
	39:06		that right? That used WhatsApp messages as part		
	39:07		of the installation?		
39:10 - 39:14	Gaznel	li, T	amir 2024-09-04	00:00:14	Gazneli4_30PM_v
			Yes.		er1.18
	39:10	Α.	1001		UU
	39:10 39:11		And so when I say "via WhatsApp",		0.2.20
					0.2.2
	39:11		And so when I say "via WhatsApp",		U-1-2-0
	39:11 39:12		And so when I say "via WhatsApp", what I mean is the relationship between the		U. <b></b>
39:16 - 40:04	39:11 39:12 39:13 39:14	Q.	And so when I say "via WhatsApp", what I mean is the relationship between the installation vector and WhatsApp, such as the one	00:01:04	
39:16 - 40:04	39:11 39:12 39:13 39:14	Q. li, Ta	And so when I say "via WhatsApp", what I mean is the relationship between the installation vector and WhatsApp, such as the one that Heaven had. Is that clear to you?	00:01:04	
39:16 - 40:04	39:11 39:12 39:13 39:14 <b>Gaznel</b>	Q. li, Ta	And so when I say "via WhatsApp", what I mean is the relationship between the installation vector and WhatsApp, such as the one that Heaven had. Is that clear to you? amir 2024-09-04	00:01:04	Gazneli4_30PM_v

P's Narrowed D's Counters 4/56

DESIGNATION	SOUR	CE		DURATION	I D
	39:19		Team Leader develop 0 click exploits that worked		
	39:20		via WhatsApp?		
	39:21	A.	It developed 0 click vectors		
	39:22		which one of the ways was via WhatsApp.		
	39:23	Q.	Okay. Did those vectors that they		
	39:24		developed have names?		
	39:25	A.	Heaven, Eden and ERISED.		
	40:01	Q.	And so Heaven, Eden and ERISED were		
	40:02		developed by the researchers on the team that you		
	40:03		supervised as Security Research Team Leader?		
	40:04	A.	Yes.		
41:05 - 41:09	Gaznel	li, Ta	amir 2024-09-04	00:00:13	Gazneli4_30PM_v
	41:05	Q.	When we broke for the fire alarm, I		er1.20
	41:06		believe we had a question pending. I had asked		
	41:07		you whether you would agree that you are very		
	41:08		familiar personally with the Eden, Heaven and		
	41:09		ERISED exploits?		
41:11 - 41:19	Gaznel	li, Ta	amir 2024-09-04	00:00:17	Gazneli4_30PM_v
	41:11	A.	I would say that I am familiar with		er1.21
	41:12		the exploits but not each and every line in the		
	41:13		code.		
	41:14	Q.	But you led the team that developed		
	41:15		them, right?		
	41:16	A.	Yes.		
	41:17	Q.	You were promoted to Director of R&D		
	41:18		in January 2020. Is that correct?		
	41:19	A.	Yes.		
44:19 - 44:24	Gaznel	li, Ta	amir 2024-09-04	00:00:34	Gazneli4_30PM_v
	44:19	0.	During your time as Director of R&D,		er1.22
	44:20		were any researchers that you supervised working		
	44:21		on installation vectors via WhatsApp?		
	44:22	A.	Yes.		
	44:23	Q.	Which vectors were those?		
	44:24	A.	When I was of R&D it was ERISED.		
47:07 - 47:15	Gaznel	li, Ta	amir 2024-09-04	00:00:23	Gazneli4_30PM_v
	47:07	-	In November 2022, you were promoted		er1.23
	47:08	٧.	to VP R&D, correct?		
	47:09	Α.	Yes.		
			How did your responsibilities change		

P's Narrowed D's Counters 5 / 56

			Guziiett+_301 M_Vet1		
DESIGNATION	SOURC	Е		DURATION	I D
	47:11		at that point?		
	47:12	A.	Now I am leading the entire R&D of		
	47:13		the company.		
	47:14	Q.	Who do you report to as VP R&D?		
	47:15	A.	To the CEO.		
50:04 - 50:15	Gazneli	, Ta	amir 2024-09-04	00:00:33	Gazneli4_30PM_v
	50:04	Q.	And so you do speak to prospective		er1.24
	50:05		customers about the capabilities of the technology	•	
	50:06		in your role as VP R&D?		
	50:07	A.	Whenever it is required, yes.		
	50:08	Q.	As Director of R&D, did you interact		
	50:09		with customers?		
	50:10	A.	Yes.		
	50:11	Q.	For the same purposes?		
	50:12	A.	Say for limited responsibilities,		
	50:13		but like more smaller responsibilities, due to the		
	50:14		fact that I was Director for only Android, but		
	50:15		yes.		
67:06 - 67:09	Gazneli	, Ta	amir 2024-09-04	00:00:13	Gazneli4_30PM_v
	67:06	Q.	Let me just take a step back for a		er1.25
	67:07		moment to see if I can make our terminology clear.		
	67:08		Eden, Heaven and ERISED are all installation		
	67:09		vectors that worked via WhatsApp, correct?		
67:12 - 68:06	Gazneli	, Ta	amir 2024-09-04	00:01:05	Gazneli4_30PM_v
	67:12	A.	Heaven, Eden and ERISED were		er1.26
	67:13		installation vectors that were activated via		
	67:14		WhatsApp.		
	67:15	Q.	During the period April 29, 2018 to		
	67:16		May 10, 2020, were any installation vectors in use		
	67:17		besides Heaven, Eden and ERISED that were		
	67:18		activated via WhatsApp?		
	67:19	A.	No.		
	67:20	Q.	So those are the three, yes?		
	67:21	A.	Yes.		
	67:22	Q.	And am I right that NSO sometimes		
	67:23		refers to them collectively as Hummingbird?		
	67:24	A.	Yes.		
	67:25	Q.	And does the term Hummingbird		
	68:01		include any vectors other than those three?		
	68:02	Α.	No.		

P's Narrowed D's Counters 6 / 56

			Gazilett4_30FM_Vel1		
DESIGNATION	SOUR	CE		DURATION	I D
	68:03	Q.	So if I refer to the Hummingbird		
	68:04		vectors, you will understand that I am referring		
	68:05		to those three?		
	68:06	A.	In respect to the timeframe, yes.		
69:22 - 70:04	Gazne	li, Ta	amir 2024-09-04	00:00:26	Gazneli4_30PM_v
	69:22	Q.	So the WhatsApp client, the server		er1.27
	69:23		functionality. Is anything else part of the		
	69:24		ecosystem you described that enables one Whats.	Арр	
	69:25		clients to communicate with another?		
	70:01	A.	No.		
	70:02	Q.	And so as part of the development of		
	70:03		the Hummingbird installation vectors, NSO		
	70:04		investigated that ecosystem. Is that right?		
70:07 - 70:15	Gazne	li, Ta	amir 2024-09-04	00:00:38	Gazneli4_30PM_v
	70:07	-	We developed an internal environment		er1.28
	70:08		that enabled us to work on our devices.		
	70:09	Q.	What does that mean?		
	70:10		That means that we used WhatsApp		
	70:11		clients that were installed on company owned		
	70:12		devices and used the functionality that was		
	70:13		developed internally which enabled communicati	ion	
	70:14		between the clients that were used in this		
	70:15		internal environment.		
74:06 - 74:25	Gazne	li, Ta	amir 2024-09-04	00:01:15	Gazneli4_30PM_v
	74:06	Q.	And so as part of that you developed		er1.29
	74:07		servers that, to the best extent you can, aim to		
	74:08		replicate the functionality of the WhatsApp		
	74:09		servers?		
	74:10	A.	Yes.		
	74:11	Q.	And the purpose is to investigate or		
	74:12		research how messages would be treated by What	tsApp	
	74:13		servers. Right?		
	74:14	A.	No.		
	74:15	Q.	What is the purpose?		
	74:16	A.	The purpose was to research the		
	74:17		WhatsApp client on the target's device, and in		
	74:18		order to build the software to eventually gain		
	74:19		access to the data that resides on it.		
	74:20	Q.	And why was it necessary to create		
	74:21		that internal version of the WhatsApp servers as		

P's Narrowed D's Counters 7 / 56

			Gazneli4_30PM_ver1		
DESIGNATION	SOUR	CE		DURATION	I D
	74:22		part of the R&D process?		
	74:23	A.	This is a practice done by any cyber		
	74:24		company, whether defensive or offensive, in order		
	74:25		to work in the internal environments.		
76:06 - 76:15	Gazne	li, T	amir 2024-09-04	00:00:35	Gazneli4_30PM_v
	76:06	Q.	Right. And then at some point does		er1.30
	76:07		the testing progress to actually testing it		
	76:08		through WhatsApp's actual ecosystem?		
	76:09	A.	After reaching the capability in the		
	76:10		internal server, then there is a phase of testing		
	76:11		in the real environment, yes.		
	76:12	Q.	And with respect to the Hummingbird		
	76:13		vectors, when did NSO reach the point of testing		
	76:14		the installation vectors in WhatsApp's actual		
	76:15		ecosystem?		
76:18 - 76:22	Gazne	li, T	amir 2024-09-04	00:00:14	Gazneli4_30PM_v
	76:18	A.	I don't recall the exact month this		er1.31
	76:19		was done.		
	76:20	Q.	Approximately?		
	76:21	A.	Approximately, it was near		
	76:22		April 2018.		
80:02 - 80:05	Gazne	li, T	amir 2024-09-04	00:00:14	Gazneli4_30PM_v
	80:02		Were any live WhatsApp accounts created		er1.32
	80:03		during any phase of the testing of the Hummingbird	l	
	80:04		vectors by NSO?		
	80:05	Α.	Yes.		
81:03 - 81:07	Gazne	li, T	amir 2024-09-04	00:00:21	Gazneli4_30PM_v
	81:03	Q.	And so could you say approximately		er1.33
	81:04		how many WhatsApp accounts were created as part	of	
	81:05		the testing of the Hummingbird vectors?		
	81:06	A.	I don't have the exact number, but I		
	81:07		can estimate it is about 50 numbers.		
81:16 - 81:19	Gazne	li, T	amir 2024-09-04	00:00:14	Gazneli4_30PM_v
	81:16	Q.	Do you have any understanding of		er1.34
	81:17		approximately how many times let me put it this		
	81:18		way on how many target devices the Hummingbir	<sup>-</sup> d	
	81:19		vectors have been successfully used by NSO?		
81:21 - 82:03	Gazne	li, T	amir 2024-09-04	00:00:20	Gazneli4_30PM_v
	81:21	Α.	When you say Hummingbird was used,		er1.35
Die No	arrowed		s Counters		9 / 56

P's Narrowed D's Counters 8 / 56

			Gaziieli4_30FM_Vei1		
DESIGNATION	SOUR	CE		DURATION	I D
	81:22		what do you mean by that?		
	81:23	Q.	Used as successfully used as an		
	81:24		installation vector to cause the installation of		
	81:25		the agent?		
	82:01	A.	In which timeframe?		
	82:02	Q.	What I will call the relevant		
	82:03		timeframe, so April 2018 to May 2020?		
82:06 - 82:06	Gazne	li, T	amir 2024-09-04	00:00:02	Gazneli4_30PM_v
	82:06	A.	I don't have an exact number.		er1.36
82:20 - 82:23	Gazne	li, T	amir 2024-09-04	80:00:00	Gazneli4_30PM_v
	82:20	Q.	I understand you don't have the		er1.37
	82:21		exact number. I am asking for an approximate		
	82:22		number. Tens, hundreds, thousands, tens of		
	82:23		thousands?		
82:25 - 83:03	Gazne	li, T	amir 2024-09-04	00:00:10	Gazneli4_30PM_v
	82:25	A.	It is not tens of thousands but it		er1.38
	83:01		is not hundreds.		
	83:02	Q.	So thousands?		
	83:03	A.	In between, yes.		
83:09 - 83:11	Gazne	li, T	amir 2024-09-04	00:00:05	Gazneli4_30PM_v
	83:09	Q.	In between what?		er1.39
	83:10	_	In between hundreds and tens of		
	83:11		thousands.		
83:22 - 84:02	Gazne	li, T	amir 2024-09-04	00:00:16	Gazneli4_30PM_v
	83:22	0.	The term "Pegasus", does that to you		er1.40
	83:23		mean the agent or is it broader than the agent?		
	83:24	A.	This is the name of the product.		
	83:25		And does that include the		
	84:01		installation vectors or would Pegasus be the agent		
	84:02		itself?		
84:04 - 84:23	Gazne	li, T	amir 2024-09-04	00:00:49	Gazneli4_30PM_v
	84:04		MR. PEREZ-MARQUES: I just want to		er1.41
	84:05		clarify our terminology so I can make the		
	84:06		questions clearer.		
	84:07	A.			
	84:08		eventually delivers the data to the customers		
	84:09		which are sent to the customers by the agent, and		
	84:10		and the agent installed using installation		
	84:11		investigators.		
D'e N	arrowed	ים	s Counters		9 / 56

P's Narrowed D's Counters 9 / 56

			042110411_001141_0012		
DESIGNATION	SOUR	CE		DURATION	I D
	84:12	Q.	And so the agent is part of Pegasus?		
	84:13	A.	Yes.		
	84:14	Q.	And the installation vectors are		
	84:15		part of Pegasus?		
	84:16	A.	Yes.		
	84:17	Q.	And the agent is the piece of		
	84:18		Pegasus that actually delivers information to		
	84:19		customers?		
	84:20	A.	Yes.		
	84:21	Q.	And that information is obtained		
	84:22		from the target devices?		
	84:23	A.	Yes.		
87:19 - 88:02	Gaznel	li, Ta	amir 2024-09-04	00:00:35	Gazneli4_30PM_v
	87:19	Q.	I would like to show you what we		er1.42
<b>9</b> P59.2	87:20		have marked as Exhibit 2032.		
	87:21		(Exhibit 2032 marked for identification)		
	87:22		This is a multipage document with the		
	87:23		Bates stamp NSO_WHATSAPP_00045678. Do you		
	87:24		recognize this document?		
	87:25	A.	Do you want me to go over it?		
	88:01	Q.	Feel free to flip through it. The		
	88:02		first question is just whether you recognize it.		
88:03 - 88:15	Gaznel	li, Ta	amir 2024-09-04	00:00:28	Gazneli4_30PM_v
	88:03		Do you recognize this document?		er1.43
	88:04	A	Yes.		
	88:05		What is it?		
	88:05 88:06	Q.	What is it? Some kind of training material,		
		Q.			
	88:06	Q. A.	Some kind of training material,		
	88:06 88:07	Q. A.	Some kind of training material, training customer facing material.		
	88:06 88:07 88:08	Q. A. Q.	Some kind of training material, training customer facing material. Customer facing material or training		
	88:06 88:07 88:08 88:09	Q. A. Q.	Some kind of training material, training customer facing material. Customer facing material or training material?		
	88:06 88:07 88:08 88:09 88:10	Q. A. Q.	Some kind of training material, training customer facing material. Customer facing material or training material? Training.		
	88:06 88:07 88:08 88:09 88:10 88:11	Q. A. Q. A. Q.	Some kind of training material, training customer facing material. Customer facing material or training material? Training. Training. Is it a product		
	88:06 88:07 88:08 88:09 88:10 88:11 88:12	Q. A. Q. A.	Some kind of training material, training customer facing material. Customer facing material or training material? Training. Training. Is it a product description for Pegasus?		
	88:06 88:07 88:08 88:09 88:10 88:11 88:12 88:13	Q. A. Q. A.	Some kind of training material, training customer facing material. Customer facing material or training material? Training. Training. Is it a product description for Pegasus? Yes.		
88:18 - 88:18	88:06 88:07 88:08 88:09 88:10 88:11 88:12 88:13 88:14 88:15	Q. A. Q. A. Q.	Some kind of training material, training customer facing material. Customer facing material or training material? Training. Training. Is it a product description for Pegasus? Yes. So the endpoint solution that is	00:00:01	Gazneli4_30PM_v
88:18 - 88:18	88:06 88:07 88:08 88:09 88:10 88:11 88:12 88:13 88:14 88:15	Q. A. Q. A. Q. A. Q.	Some kind of training material, training customer facing material. Customer facing material or training material? Training. Training. Is it a product description for Pegasus? Yes. So the endpoint solution that is referred to here is Pegasus?	00:00:01	Gazneli4_30PM_v er1.44

P's Narrowed D's Counters 10 / 56

DESIGNATION	SOUR	CE		DURATION	I D
<b>ℱ</b> P59.6.1	89:02	Q.	The third paragraph there revs to		er1.45
	89:03		Pegasus as a "breakthrough solution, developed by	•	
	89:04		veterans of elite intelligence agencies". Do you		
	89:05		see that? It is the third paragraph under		
	89:06		"Overview"?		
	89:07	A.	Yes.		
	89:08	Q.	And do you agree with the		
	89:09		characterization of Pegasus as a breakthrough		
	89:10		solution?		
	89:11	A.	As for the date, I think it was		
	89:12		breakthrough, yes.		
	89:13	Q.	It is a highly innovative product?		
	89:14	A.	Yes.		
	89:15	Q.	Highly sophisticated?		
	89:16	A.	I think it depends on the definition		
	89:17		of sophisticated. By what means?		
	89:18	Q.	Would you consider it a very		
	89:19		sophisticated product?		
	89:20	A.	Yes.		
90:23 - 91:02	Gazne	li, Ta	amir 2024-09-04	00:00:12	Gazneli4_30PM_v
	90:23	Q.	So I am asking you, as the person		er1.46
	90:24		who is presenting NSO's knowledge with respect to		
	90:25		the R&D that led to Pegasus, is it accurate that		
	91:01		it was developed by veterans of elite intelligence		
	91:02		agencies?		
91:05 - 91:07	Gazneli, Tamir 2024-09-04			00:00:09	Gazneli4_30PM_v
	91:05	A.	Part of the company that developed		er1.47
	91:06		the solution were veterans of elite		
	91:07		intelligence		
91:08 - 91:10	Gazne	li T:	amir 2024-09-04	00:00:06	Gazneli4_30PM_v
31.00 31.10	91:08	•	Would you agree that Pegasus was	00.00.00	er1.48
	91:09	Q.	developed by personnel with highly specialized		C12110
	91:10		expertise?		
			•		
91:13 - 91:17	Gazne	•	amir 2024-09-04	00:00:23	Gazneli4_30PM_v
	91:13	A.	I would say the development of		er1.49
	91:14		Pegasus requires, in terms of research, specific		
	91:15		capabilities that according to my resume you can		
	91:16		see that may be acquired. So what is the		
	91:17		question?		

P's Narrowed D's Counters 11 / 56

	1	Gaznell4_30PM_ver1		
DESIGNATION	SOUR		DURATION	I D
91:18 - 91:23	Gazne	li, Tamir 2024-09-04	00:00:19	Gazneli4_30PM_v
	91:18	Q. The question was whether you agree		er1.50
	91:19	that Pegasus was developed by personnel with		
	91:20	highly specialized expertise?		
	91:21	A. I would say that the research field		
	91:22	which is part of Pegasus requires specialized		
	91:23	expertise.		
91:24 - 92:09	Gazne	li, Tamir 2024-09-04	00:00:25	Gazneli4_30PM_v
	91:24	Q. What about the development field		er1.51
	91:25	with respect to the agent itself?		
	92:01	A. This is development per se, as any		
	92:02	development.		
	92:03	Q. And it also requires specialized		
	92:04	expertise?		
	92:05	A. It requires understanding of		
	92:06	internals of the framework. I don't see it as		
	92:07	specialized expertise, as any other specialized		
	92:08	expertise required for developing any other		
	92:09	application.		
92:10 - 92:16	Gazne	li, Tamir 2024-09-04	00:00:18	Gazneli4_30PM_v
	92:10	Q. The next section here, 1.1, refers		er1.52
	92:11	to smartphone data interception challenges. Do		
	92:12	you see that? "Overcoming smartphone data		
	92:13	interception challenges"?		
	92:14	A. Yes.		
	92:15	Q. And those are challenges that		
	92:16	Pegasus is able to overcome?		
97:14 - 98:15	Gazne	li, Tamir 2024-09-04	00:01:22	Gazneli4_30PM_v
<b>©</b> P59.8.1	97:14	Q. On page 3, under "Cyber Intelligence		er1.53
•	97:15	for the Mobile World". Do you see that section?		
	97:16	A. Yes.		
<b>6</b> P59.8.2	97:17	Q. The second paragraph states "EPS".		
	97:18	EPS means endpoint solution, right?		
	97:19	A. Yes.		
	97:20	Q. And that means Pegasus, in the		
	97:21	context of this document?		
	97:22	A. Yes.		
	97:23	Q. "EPS silently deploys an invisible		
	97:24	software (SW) component (agent) on a target's		
	97:25	device which extracts and securely transmits data		
Die N		Dis Countries		12/50

P's Narrowed D's Counters 12 / 56

DESIGNATION	SOUR	E	DURATION	I D
	98:01	for intelligence analysis."		
	98:02	Do you see that?		
	98:03	A. Yes.		
	98:04	Q. And what does it mean that the agent		
	98:05	is deployed silently?		
	98:06	A. This defines how the agent is		
	98:07	installed, not the installation vector itself.		
	98:08	Q. And what does it mean that the agent		
	98:09	is deployed silently?		
	98:10	A. It means that the target is not		
	98:11	aware that the agent is being installed.		
	98:12	Q. Right. And the other services that		
	98:13	are part of the broader ecosystem are also		
	98:14	unaware. Isn't that part of it as well, as we		
	98:15	discussed earlier?		
98:17 - 98:20	Gazne	, Tamir 2024-09-04	00:00:13	Gazneli4_30PM_v
	98:17	A. This activity should be concealed,		er1.54
	98:18	yes.		
	98:19	Q. And NSO designed Pegasus with the		
	98:20	objective that it be concealed, isn't that right?		
98:22 - 99:09	Gazne	, Tamir 2024-09-04	00:00:47	Gazneli4_30PM_v
	98:22	A. It is part of being software that is		er1.55
	98:23	being used by the type of customers that are usir	g	
	98:24	these cyber intelligence tools, the product should	d	
	98:25	be as concealed as possible.		
	99:01	Q. It also says that it refers to		
<b>P</b> P59.11.5	99:02	the software component as "invisible". Do you se	ee	
	99:03	that in that same sentence?		
	99:04	A. Yes.		
	99:05	Q. And that means that the software		
	99:06	component is invisible to the target, right?		
	99:07	A. Yes.		
	99:08	Q. And also invisible to other services		
	99:09	involved in the ecosystem, right?		
99:12 - 99:20	Gazne	, Tamir 2024-09-04	00:00:42	Gazneli4_30PM_v
	99:12	A. It is invisible to the target, as by		er1.56
	99:13	nature of the product, and the agent is invisible.		
	99:14	Yes, the answer is yes.		
	99:15	Q. And that is by design, is that		
	99:16	right? NSO designed the product so that it would	<u> </u>	
			·	12/5/

P's Narrowed D's Counters 13 / 56

	Guziicu+_50i m_vei z		
DESIGNATION	SOURCE	DURATION	I D
	99:17 be invisible not only to the target but to others		
	99:18 as well?		
	99:19 A. This is a requirement of such		
	99:20 software capabilities.		
103:06 - 103:14	Gazneli, Tamir 2024-09-04	00:00:25	Gazneli4_30PM_v
<b>☆</b> Clear	103:06 At the time in 2018 and 2019 that NSO		er1.57
	103:07 had 0 click installation vectors for Android via		
	103:08 WhatsApp let me start with this.		
	103:09 Did NSO have 0 click installation		
	103:10 vectors during that time period for Android, other		
	103:11 than the Hummingbird vectors?		
	103:12 A. During that time period?		
	103:13 Q. Yes.		
	103:14 A. No.		
105:22 - 106:02	Gazneli, Tamir 2024-09-04	00:00:22	Gazneli4_30PM_v
	105:22 MR. PEREZ-MARQUES: Mr. Gazneli, what		er1.58
	105:23 are the advantages of 0 click installation vectors		
	105:24 over 1 click installation vectors?		
	105:25 A. 0 click installation vectors do not		
	106:01 require any interaction by the target.		
	106:02 Q. And why is that preferable?		
106:05 - 106:08	Gazneli, Tamir 2024-09-04	00:00:19	Gazneli4_30PM_v
	106:05 A. From the customer's point of view, 0		er1.59
	106:06 click installation vectors are more concealed and		
	106:07 they enable them to execute their operations in		
	106:08 more concealed ways.		
107:05 - 107:18	Gazneli, Tamir 2024-09-04	00:00:36	Gazneli4_30PM_v
	107:05 Q. So I would like to go back to		er1.60
	107:06 Exhibit 2032, which you still have in front of		
<b>6</b> P59.8.3	107:07 you. The next section on page 3 has a section		
	107:08 titled "Benefits of our endpoint solution". Do		
	107:09 you see that?		
	107:10 A. Yes.		
	107:11 Q. And the first benefit identified is		
	107:12 global coverage. Is that right?		
	107:13 A. Yes.		
	107:13 A. Yes. 107:14 Q. It states:		

P's Narrowed D's Counters 14 / 56

		Gazneli4_30PM_ver1		
DESIGNATION	SOURCE		DURATION	I D
	107:17	Right?		-
	107:18 A.	Yes.		
108:20 - 111:08	Gazneli, T	amir 2024-09-04	00:03:18	Gazneli4_30PM_v
	108:20 O.	It is the agent that monitors target		er1.61
	108:21	devices, right, not the vector?		
	108:22 A.	The agent is the one that monitors		
	108:23	target devices, yes.		
	108:24 Q.	And is it correct that Pegasus can		
	108:25	monitor a target's devices from any location?		
	109:01 A.	As for the potential of the agent,		
	109:02	yes.		
<b>©</b> P59.8.4	109:03 Q.	The next bullet states:		
	109:04	"Unlimited access to targets' mobile		
	109:05	devices. Remotely and covertly collect		
	109:06	information about a target's relationships,		
	109:07	locations, phone calls, plans and activities."		
	109:08	Do you see that?		
	109:09 A.	Yes.		
	109:10 Q.	And is that an accurate statement of		
	109:11	Pegasus' capabilities?		
	109:12 A.	Yes, it is like.		
<b>©</b> P59.8.5	109:13 Q.	A few bullets down there is a bullet		
	109:14	that begins "Operate target devices". Do you see		
	109:15	that?		
	109:16 A.	Yes.		
	109:17 Q.	It says:		
	109:18	"Activate the microphone to listen in on		
	109:19	a target's environment. Turn on the camera to		
	109:20	take snapshots and take screenshots to collect		
	109:21	non-communications data of high intel value."		
	109:22	Is that an accurate statement of		
	109:23	Pegasus' capabilities?		
	109:24 A.			
		Is it fair to say that the purpose		
	110:01	of Pegasus is to obtain information from a		
	110:02	target's devices?		
	110:03 A.			
		And among other capabilities Pegasus		
	110:05	has the ability to turn on a device microphone?		
	110:06 A.	In some types of agents, yes.		

P's Narrowed D's Counters 15 / 56

DESIGNATION	SOURCE	DURATION	I D
	110:07 Q. And to take photos with a device		
	110:08 camera, that is also a capability of Pegasus?		
	110:09 A. Yes.		
	110:10 Q. And it had those capabilities in		
	110:11 2019?		
	110:12 A. Yes.		
	110:13 Q. And it continues to have those		
	110:14 capabilities today?		
	110:15 A. As I said before, it depends on		
	agents, the specifics of the agent.		
	110:17 Q. How so?		
	110:18 A. Eventually the vector defines what		
	are the capabilities that the agent can ask.		
	110:20 Q. So certain vectors can only make		
	110:21 available certain functionality of the agent?		
	110:22 A. Yes.		
	110:23 Q. And with respect to Pegasus as		
	installed by the Hummingbird vectors, did Pegasus		
	in 2019 have the capability to turn on device		
	111:01 microphones and take snapshots?		
	111:02 A. Yes.		
	111:03 Q. The section I read also describes		
	the information obtained as having high intel		
	111:05 value. Do you see that?		
	111:06 A. Yes.		
	111:07 Q. And do you agree that the		
	information obtained by Pegasus is valuable?		
111:11 - 111:14	Gazneli, Tamir 2024-09-04	00:00:08	Gazneli4_30PM_v
	111:11 A. The value of the intel is in the		er1.62
	111:12 eyes of the customer.		
	111:13 Q. And the information is valuable to		
	111:14 NSO's customers, yes?		
111:17 - 111:24	Gazneli, Tamir 2024-09-04	00:00:27	Gazneli4_30PM_v
111,17 - 111,27	111:17 A. Eventually the customers seek to get	00.00.21	er1.63
			C11.05
	access to the data that is on the device, and part		
	of it is using microphone and camera, snapshots, as it states here.		
	111:21 Q. And not limited to microphone and		
	snapshots, but the information obtained through		
	Pegasus is valuable to NSO's customers. Isn't		

P's Narrowed D's Counters 16 / 56

DESIGNATION	SOURCE	DURATION	I D
	111:24 that right?		
112:03 - 112:09	Gazneli, Tamir 2024-09-04	00:00:17	Gazneli4_30PM_v
	112:03 A. We believe that this is information		er1.64
	that customers would like to get access to. That		
	112:05 is why we developed the capabilities.		
	112:06 Q. Right, and because they consider it		
	valuable, they are willing to pay NSO typically		
	112:08 millions of dollars to license the Pegasus		
	112:09 technology. Right?		
112:12 - 112:14	Gazneli, Tamir 2024-09-04	00:00:08	Gazneli4_30PM_v
	112:12 A. Because they value the information		er1.65
	112:13 they are willing to buy the software in order to		
	112:14 use it.		
112:23 - 113:13	Gazneli, Tamir 2024-09-04	00:00:40	Gazneli4_30PM_v
	112:23 Q. It says:		er1.66
	112:24 "The EPS solution uses cutting edge		
	112:25 technology."		
	Do you agree with that characterization?		
	113:02 A. Yes.		
<b>Ø</b> P59.11.1	113:03 Q. If we go to page 6, there is a		
	section 3.2 titled "Agent Installation Vectors".		
	113:05 Do you see that?		
	113:06 A. Yes.		
	113:07 Q. It states that:		
	113:08 "Installing an agent on a target's		
	device is the heart of any intelligence operation		
	113:10 using EPS."		
	Do you see the language I just read?		
	113:12 A. Yes.		
	113:13 Q. Do you agree with that?		
113:16 - 113:17	Gazneli, Tamir 2024-09-04	00:00:05	Gazneli4_30PM_v
	113:16 A. This is what it says.		er1.67
	113:17 Q. Do you agree with it?		
113:20 - 113:25	Gazneli, Tamir 2024-09-04	00:00:14	Gazneli4_30PM_v
	113:20 A. I would read the entire sentence,		er1.68
	113:21 which states "Installing the agent on target's		
	device is the heart of any intelligence operation		
	using EPS, and each installation is carefully		
	113:24 planned to ensure success."		

P's Narrowed D's Counters 17 / 56

	Gaziieli4_30FM_Vei 1		
DESIGNATION	SOURCE	DURATION	I D
	113:25 Q. Do you agree with that?		
114:02 - 114:09	Gazneli, Tamir 2024-09-04	00:00:21	Gazneli4_30PM_v
	114:02 A. Again, it states the operations from		er1.69
	114:03 the customer's eyes and the value of the		
	intelligence from the customer's eyes.		
	114:05 Q. But from your perspective, as the		
	head of R&D at NSO, do you agree that installing		
	an agent on the target's device is the heart of		
	114:08 any intelligence operation using EPS or using		
	114:09 Pegasus?		
114:13 - 114:20	Gazneli, Tamir 2024-09-04	00:00:27	Gazneli4_30PM_v
	114:13 A. Being the agent of the software		er1.70
	114:14 enables the access to the data which customers		
	value and need to get for their operations. This		
	is the beginning of the operation.		
	114:17 Q. The installation is the beginning?		
	114:18 A. Installing the agent.		
	114:19 Q. Why is installation so important to		
	114:20 an intelligence operation using Pegasus?		
114:23 - 115:03	Gazneli, Tamir 2024-09-04	00:00:22	Gazneli4_30PM_v
	114:23 A. Customers seek to get access to data		er1.71
	114:24 and these type of solutions are one of the ways		
	114:25 for them to get access.		
	115:01 Q. The installation is what makes the		
	information extraction possible, right?		
	115:03 A. Yes.		
116:03 - 116:06	Gazneli, Tamir 2024-09-04	00:00:13	Gazneli4_30PM_v
<b>©</b> P59.11.2	116:03 Q. Specifically, when it says "a covert		er1.72
	116:04 message is sent to a mobile device", in 2018 and		
	116:05 2019, NSO was sending such covert messages via		
	116:06 WhatsApp. Isn't that right?		
116:09 - 117:15	Gazneli, Tamir 2024-09-04	00:01:40	Gazneli4_30PM_v
	116:09 A. In 2018 and 2019 the solutions		er1.73
	used the solution was communicating with		
	target's device in order to activate the		
	116:12 vulnerability.		
	116:13 Q. But this language here says "a		
	116:14 covert message is sent to a mobile device". Do		
	116:15 you see that language?		

P's Narrowed D's Counters 18 / 56

DESIGNATION	SOURCE	D	URATION	I D
	116:16 A.	Yes.		
	116:17 Q.	And in the Hummingbird vectors that		
	116:18	message was being sent via WhatsApp. Isn't that		
	116:19	right?		
	116:20 A.	This was the only way to communicate		
	116:21	between peer to peer.		
	116:22 Q.	And so it was being sent via		
	116:23	WhatsApp in the Hummingbird vector via WhatsApp?		
	116:24 A.	Messages were sent to target's		
	116:25	device.		
	117:01 Q.	Via WhatsApp, right?		
	117:02 A.	Yes, via WhatsApp.		
	117:03 Q.	That is how the Hummingbird vectors		
	117:04	worked?		
	117:05 A.	In this timeframe, yes.		
<b>6</b> P59.11.3	117:06 Q.	The next sentence states that:		
	117:07	"The message triggers the smartphone to		
	117:08	download and install the agent."		
	117:09	Correct? Do you see that language?		
	117:10 A.	Yes.		
	117:11 Q.	As to the Hummingbird vectors, is		
	117:12	that correct, that the message transmitted via		
	117:13	WhatsApp would trigger a target device to download		
	117:14	the Pegasus agent?		
	117:15 A.	Yes.		
118:02 - 118:14	Gazneli, T	amir 2024-09-04	00:00:36	Gazneli4_30PM_v
<b>6</b> P59.11.4	118:02 Q.	What does "the installation is		er1.74
	118:03	completely invisible" mean?		
	118:04 A.	The second point talks about		
	118:05	download and installing the agent. The third		
	118:06	topic talks about this installation phase and		
	118:07	states that the installation of agent, which is		
	118:08	already on the device, it is not exposed to		
	118:09	anything else, is completely invisible, and cannot		
	118:10	be stopped. Therefore it is visible and cannot be		
	118:11	stopped on the device.		
	118:12 Q.	Got it. So that refers to the		
	118:12 Q. 118:13	Got it. So that refers to the installation at the agent?		
	_	installation at the agent?		

P's Narrowed D's Counters 19 / 56

	Guziicu+_50i iii_vci1		
DESIGNATION	SOURCE	DURATION	I D
<b>☆</b> Clear	120:18 Q. And as to Eden, Heaven and ERISED,		er1.75
	the message that would trigger the download of t	he	
	120:20 agent were transmitted via WhatsApp. Is that		
	120:21 right?		
	120:22 A. Yes.		
125:11 - 125:19	Gazneli, Tamir 2024-09-04	00:00:28	Gazneli4_30PM_v
	125:11 Q. And during that time when you were		er1.76
	125:12 meeting with customers did you ever hear from		
	125:13 customers that the capabilities NSO was offering		
	125:14 were different or superior to what others what		
	they had seen elsewhere in the market?		
	125:16 A. Some of them. Again, it depends		
	125:17 which competitor met before.		
	125:18 Q. Some of them made that point?		
	125:19 A. Yes.		
126:13 - 127:21	Gazneli, Tamir 2024-09-04	00:01:27	Gazneli4_30PM_v
	126:13 Q. And Pegasus obtains text		er1.77
	126:14 information, correct?		
	126:15 A. Yes.		
	126:16 Q. And audio information?		
	126:17 A. Yes.		
	126:18 Q. Including intercepted calls?		
	126:19 A. These are intercepted on the device.		
	126:20 Q. Right. But that is part of the		
	information that Pegasus obtains from target		
	126:22 devices?		
	126:23 A. Of course.		
	126:24 Q. I didn't get your answer.		
	126:25 A. Yes.		
	127:01 Q. It also can gather what is referred		
	127:02 to here as environmental taps, meaning micropho	one	
	127:03 recordings. Yes?		
	127:04 A. Yes.		
	127:05 Q. And it can obtain photos and videos		
	127:06 from the device, right?		
	127:07 A. Yes.		
	127:08 Q. And take new photos, correct?		
	127:09 A. Yes.		
	127:10 Q. And it obtains location information,		
	127:11 is that right?		

P's Narrowed D's Counters 20 / 56

DESIGNATION	SOURCE		DURATION	ID
	127:12 A.	Yes.		'
	127:13 Q.	And it had all those capabilities in		
	127:14	2018 and 2019?		
	127:15 A.	Yes.		
	127:16 Q.	Including as installed by the		
	127:17	Hummingbird vector, it would have all those		
	127:18	capabilities?		
	127:19 A.	The only part which I am not sure		
	127:20	about it, about its support is intercepting calls.		
	127:21	Maybe it was able part of the time.		
128:17 - 129:03	Gazneli, T	amir 2024-09-04	00:01:06	Gazneli4_30PM_v
	128:17 Q.	What is the anonymizing transmission		er1.78
	128:18	network that is used or that was used in		
	128:19	connection with Pegasus during the relevant		
	128:20	period?		
	128:21 A.	In what level do you ask the		
	128:22	question? The technical parts or		
	128:23 Q.	How would you explain what it is?		
	128:24 A.	It is I have explained this to		
	128:25	you. It is an infrastructure which is deployed at		
	129:01	every client site to ensure that it is possible to		
	129:02	trace back to an operating organization, thus		
	129:03	ensuring full deniability from that site.		
130:11 - 130:13	Gazneli, T	amir 2024-09-04	00:00:11	Gazneli4_30PM_v
	130:11 Q.	What was NSO's role in establishing		er1.79
	130:12	an anonymizing transmission network for a		
	130:13	particular customer back in 2018/19?		
130:17 - 130:24	Gazneli, Ta	amir 2024-09-04	00:00:35	Gazneli4_30PM_v
	130:17 A.	So as for the infrastructure which		er1.80
	130:18	is deployed on the customer side, it involves		
	130:19	purchases of infrastructure which are done by the		
	130:20	White Services teams and eventually deployed on		
	130:21	the target customers' ecosystem in order to enable	!	
	130:22	them to use the software.		
	130:23 Q.	Would it also involve leasing		
	130:24	third-party servers?		
131:03 - 131:07	Gazneli, Ta	amir 2024-09-04	00:00:14	Gazneli4_30PM_v
		It involves working with third-party		er1.81
	131:03 A.	it involves working with third-party		C1 1.01

P's Narrowed D's Counters 21 / 56

	Gaziieu4_30PM_vei1		
DESIGNATION	SOURCE	DURATION	I D
	131:05 required.		
	131:06 Q. And doing so in a way that could not		
	be traced back to NSO or the customer?		
131:11 - 131:17	Gazneli, Tamir 2024-09-04	00:00:22	Gazneli4_30PM_v
	131:11 A. As it says here, the goal is		er1.82
	131:12 deniability of the customer and includes the		
	131:13 deniability of the company.		
	131:14 Q. And the White Services team that you		
	131:15 mentioned, what is their role?		
	131:16 A. To make those purchases for		
	131:17 infrastructure in an anonymized way.		
131:21 - 131:23	Gazneli, Tamir 2024-09-04	00:00:05	Gazneli4_30PM_v
	131:21 (Exhibit 2017 marked for identification)		er1.83
<b>©</b> P44.2	131:22 I am showing you what has been marked as		
• • • • • • • • • • • • • • • • • • • •	131:23 Exhibit 2017. This is a Confluence document, is		
131:23 - 132:16		00:00:47	Carnolid 20DM v
131.23 - 132.16	Gazneli, Tamir 2024-09-04	00.00.47	Gazneli4_30PM_v er1.84
	131:23 Exhibit 2017. This is a Confluence document, is		611.04
	131:24 that right?		
	131:25 A. Yes.		
	132:01 Q. What is Confluence?		
<b>A D</b> 4422	132:02 A. It is a software for documenting.		
<b>©</b> P44.2.3	132:03 Q. The document is dated January 25,		
	132:04 2018. Do you see that?		
	132:05 A. Yes.		
	132:06 Q. And it has a heading that is 132:07 partially redacted, "2.50", then a redaction and		
	132:08 then "Android". Do you see that?		
	132:09 A. Yes.		
	132:10 Q. Do you recognize this document? 132:11 A. Yes.		
	132:12 Q. What is it?		
	132:13 A. It is a release page that states		
	132:14 that a version is being released.		
	132:15 Q. A new version of Pegasus, correct?		
	132:16 A. Yes.		
127.24 120.00		00.01.40	Carnelia 200M
137:24 - 139:09	Gazneli, Tamir 2024-09-04	00:01:48	Gazneli4_30PM_v er1.85
<b>☆</b> Clear	137:24 Q. And so if at this point Pegasus 2.50		61 1.03
	including Heaven was not yet clear to go to		
	138:01 customers, when was Heaven clear to go to		

P's Narrowed D's Counters 22 / 56

DESIGNATION	SOURCE		DURATION	I D
	138:02	customers?		
	138:03 A.	As I recall, I think around		
	138:04	April/May this was ready enough to be deployed.		
	138:05 Q.	Around April or May 2018?		
	138:06 A.	Yes. Just a second. No. It was		
	138:07	around October.		
	138:08 Q.	October 2018?		
	138:09 A.	Yes, ready for broad deployment.		
	138:10 Q.	What do you mean by "broad		
	138:11	deployment"?		
	138:12 A.	All customers could get the		
	138:13	solution.		
	138:14 Q.	When was the first time, to your		
	138:15	knowledge, that any NSO customer was able to use a	a	
	138:16	version of Pegasus that included Hummingbird?		
	138:17 A.	I don't recall the exact month for		
	138:18	that.		
	_	But it would have been between		
	138:20	January and October?		
	138:21 A.			
	_	When it says "The first 0 click's		
	138:23	installation vector for broad Android devices", is		
	138:24	it right that beyond this before this point others		
	138:25	had installation vectors for Android but they were		
	139:01	not broad?		
		No, we didn't have any solution at		
	139:03	all for Android.		
		It includes "thanks for putting so		
	139:05	much effort to imagine, create and release this		
	139:06	complex version". Do you see that?		
	139:07 A.			
	_	What were the efforts that went into		
	139:09	producing Heaven?		
139:13 - 139:21	Gazneli, T	amir 2024-09-04	00:00:21	Gazneli4_30PM_v
	139:13 A.	I can answer from the R&D side.		er1.86
	139:14 Q.	Mm hmm.		
	139:15 A.	It is finding vulnerabilities,		
	139:16	building the installation chain and chaining		
	139:17	altogether to an installation vector of the agent.		
	139:18 Q.	It notes that this is a significant		

P's Narrowed D's Counters 23 / 56

	Ouzheuson m_verz		
DESIGNATION	SOURCE	DURATION	I D
	139:19 milestone for Pegasus. Do you see that?		
	139:20 A. Yes.		
	139:21 Q. Do you agree with that?		
139:25 - 140:01	Gazneli, Tamir 2024-09-04	00:00:07	Gazneli4_30PM_v
	139:25 A. Any 0 click solution whatsoever is a		er1.87
	140:01 significant milestone for Pegasus.		
140:02 - 140:06	Gazneli, Tamir 2024-09-04	00:00:10	Gazneli4 30PM v
	140:02 Q. When you refer to when I asked		er1.88
	140:03 you about the efforts that went into producing		
	140:04 Heaven you said you could answer on the R&D side	) <u>.</u>	
	140:05 the first thing you mentioned was finding	•	
	140:06 vulnerabilities. What did you mean by that?		
140:09 - 140:16	Gazneli, Tamir 2024-09-04	00:00:40	Gazneli4_30PM_v
0.0000	140:09 A. I would say that this domain		er1.89
	140:10 requires finding the flaws in the ecosystem and in		
	140:11 the services in order to be able to build the		
	140:12 installation floor, the installation vector, and		
	140:13 eventually deploy the agent.		
	140:14 Q. And with respect to Heaven, the		
	140:15 vulnerabilities that the team was working to find		
	140:16 were vulnerabilities in WhatsApp's ecosystem?		
140:19 - 140:20	Gazneli, Tamir 2024-09-04	00:00:04	Gazneli4_30PM_v
	140:19 A. The vulnerabilities in Heaven were		er1.90
	140:20 in WhatsApp client.		
142:18 - 145:13	Gazneli, Tamir 2024-09-04	00:04:55	Gazneli4_30PM_v
	142:18 Q. My fundamental question is how did		er1.91
	142:19 the R&D team at NSO go about finding		
	142:20 vulnerabilities in the WhatsApp client?		
	142:21 A. They researched the activity of the		
	142:22 WhatsApp client in order to find flaws and to		
	build the installation vectors which, as I said,		
	is built to eventually deliver the agent payload.		
	142:25 Q. How did the team research the		
	143:01 activity of the WhatsApp client?		
	143:02 A. What does the question refer to in		
	143:03 how?		
	143:04 Q. That is my question. How did they		
	143:05 do that? You said they researched the activity of the WhatsApp client. How did they do that?		

P's Narrowed D's Counters 24 / 56

DESIGNATION	SOURCE	<u>-</u>	DURATION	I D
		So I will get back to the		
	143:08	explanation about the ecosystem we built		
	143:09	internally. We built internal ecosystem of		
	143:10	WhatsApp server that enabled us internally to s	end	
	143:11	messages between two peers between custome		
	143:12	devices, and having this ability enables us to		
	143:13	research the activity of the WhatsApp client.		
	143:14 Q.	How did you know how to build that		
	143:15	internal ecosystem?		
	143:16 A.	What do you mean by how?		
	143:17 Q.	How did you determine what the		
	143:18	characteristics of that internal ecosystem shoul	d	
	143:19	be in order to operate like a WhatsApp client?		
	143:20 A.	We have the client and we have the		
	143:21	server between them.		
	143:22 Q.	And so how do you go from having the		
	143:23	client to building an ecosystem that replicates		
	143:24	it?		
	143:25 A.	So as a researcher, especially in		
	144:01	Android, you have the ability to gain the		
	144:02	routabilities on any Android devices. These		
	144:03	abilities are stated for anyone using the web.		
	144:04	Whenever you have route access on a device, yo	ou	
	144:05	have the ability to monitor the traffic that is in		
	144:06	and out the monitored device, and then you ha	ve	
	144:07	access to the traffic from peer to peer. Using		
	144:08	this knowledge you can use it to build the		
	144:09	ecosystem which is required for this activity.		
	144:10 Q.	Is there anything else that the NSO		
	144:11	R&D team did to find vulnerabilities in the		
	144:12	WhatsApp client?		
	144:13 A.	We, the R&D research group, used all		
	144:14	the tools it should use in order to be able to		
	144:15	find the vulnerabilities.		
	144:16 Q.	What are those tools?		
	144:17 A.	Basically, there are two types of		
	144:18	tools, called IDA and JEB.		
	_	Can you spell those?		
	144:20 A.	I-D-A.		
	144:21 Q.	And what was the other?		
	144:22 A.	J-E-B.		

P's Narrowed D's Counters 25 / 56

DESIGNATION	SOURCE	DURATION	I D
	144:23 Q. And what is IDA?		
	144:24 A. These are tools available for		
	144:25 procurement anyone can buy it which enable		
	145:01 you to analyze code.		
	145:02 Q. What is the difference between IDA		
	145:03 and JEB?		
	145:04 A. IDA enables you to analyse native		
	145:05 code and JEB analyzes Java code.		
	145:06 Q. Other than using those two tools,		
	145:07 what else did the R&D team do to find		
	145:08 vulnerabilities in the WhatsApp client?		
	145:09 A. As I said before, it monitored the		
	transmission between peer to peer as for the		
	145:11 communication, in order to understand the		
	responses and the message content that should be		
	145:13 sent from side to side.		
146:13 - 146:14	Gazneli, Tamir 2024-09-04	00:00:06	Gazneli4_30PM_v
	146:13 Q. As part of this work did NSO		er1.92
	146:14 researchers reverse engineer any WhatsApp code?		
146:16 - 146:25	Gazneli, Tamir 2024-09-04	00:00:31	Gazneli4_30PM_v
	146:16 A. Do you want to define what reverse		er1.93
	146:17 engineering is?		
	146:18 Q. What do you understand reverse		
	146:19 engineering to mean?		
	146:20 A. I would say that using these tools I		
	talked about, IDA and JEB, the research group had		
	the ability to understand how the code functions,		
	146:23 some of the parts.		
	146:24 Q. Mm hmm. What do you understand		
	146:25 reverse engineering to mean?		
147:03 - 147:09	Gazneli, Tamir 2024-09-04	00:00:25	Gazneli4_30PM_v
	147:03 A. I would talk about the aim of this		er1.94
	147:04 activity, and the aim is to learn the mechanisms		
	147:05 and the way client functions.		
	147:06 Q. And that is something NSO's research		
	147:07 group did with respect to WhatsApp client, as part		
	147:08 of the development of the Hummingbird vectors?		
	147:09 A. Yes.		
152:24 - 153:02	Gazneli, Tamir 2024-09-04	00:00:14	Gazneli4_30PM_v

P's Narrowed D's Counters 26 / 56

		Gazileli4_SUPM_Vel1		
DESIGNATION	SOURO		DURATION	I D
<b>©</b> P60.2	152:24	2. Let me show you what we will mark		er1.95
	152:25	I think we are up to 2033.		
	153:01	(Exhibit 2033 marked for identification)		
	153:02	This is Exhibit 2033. This is		
153:02 - 153:17	Gaznel	Tamir 2024-09-04	00:00:53	Gazneli4_30PM_v
	153:02	This is Exhibit 2033. This is		er1.96
	153:03	a multipage document beginning on		
<b>©</b> P60.2.1	153:04	NSO_WHATSAPP_00008957 through 8962. It ha	sthe	
0	153:05	title at the top "Heaven OpSec." Do you recogni		
	153:06	Exhibit 2033?		
	153:07			
		). What is it?		
		A. It is an OpSec document for Heaven		
	153:10	vector.		
		2. What does that mean, an OpSec		
	153:12	document?		
		A. It is OpSec team's analysis results		
	153:14	and suggestions how will be the best in terms of	f	
	153:15	this vector to be developed and used.		
		2. And concealed, right?		
	153:17			
153:20 - 154:08	Gaznel	Tamir 2024-09-04	00:00:45	Gazneli4_30PM_v
		2. Again I don't think the reporter got		er1.97
	153:21	your answer. You said "yes", right?		
	153:22			
		). And so was this a document that was		
	153:24	prepared by the operational security team withi	in	
	153:25	R&D?	•••	
	154:01			
		2. Did you have any role in preparing		
	154:03	this document?		
	154:04			
		). Do you know approximately when it		
	154:06	was prepared?		
		A. Not the exact date but if I remember		
	154:08	right it was around the beginning of 2018.		
154:17 - 155:03		Tamir 2024-09-04	00:00:23	Gazneli4_30PM_v
<b>P</b> P60.2.2		2. The first one listed there says:		er1.98
	154:18	"Resources of value we want to protect."		
	154:19	The first one is:		
DI- N	arrowed	D's Counters		27 / 56

P's Narrowed D's Counters 27 / 56

DESIGNATION	SOURCE		DURATION	I D
	154:20	"Exploits and techniques that are being		
	154:21	used as part of the installation vector. The most		
	154:22	important asset we want to protect, the WhatsApp $$		
	154:23	exploit."		
	154:24	Do you see that?		
	154:25 A.	Yes.		
	155:01 Q.	And that refers to the 0 click		
	155:02	WhatsApp exploit that was known at this time as		
	155:03	Heaven?		
155:06 - 155:09	Gazneli, Ta	mir 2024-09-04	00:00:10	Gazneli4_30PM_v
	155:06 A.	At that particular time that was the		er1.99
		exploit.		
	155:08 Q.	Why was the Heaven exploit the most		
	155:09	important asset that this team wanted to protect?		
155:12 - 156:02	Gazneli. Ta	mir 2024-09-04	00:00:52	Gazneli4_30PM_v
	•	Again, this is the OpSec team's	00.00.02	er1.100
		assessment of the specific capability, and he was		
		directed to assess the Heaven capability, and as		
		part of all the resources which are listed here,		
		and we can go over each and every one of them,		
		protecting the exploit is the most important in		
		this list.		
	155:19 Q.	More important even than the		
		clients' identities?		
	155:21 A.	Yes		
	155:22 Q.	More important than target		
	155:23	awareness?		
	155:24 A.	In order to be able to handle the		
	155:25	risks in terms of client's identity, so target		
	156:01	awareness, you wanted to start having the ability,		
	156:02	the vulnerability, so yes.		
157:07 - 157:13	Gazneli, Ta	mir 2024-09-04	00:00:20	Gazneli4_30PM_v
	157:07 O.	What is WIS?		er1.101
	_	This is the acronym of WhatsApp		
		installation server, but it means an Android		
		server which is responsible for installing the end		
		solution.		
	157:12 O.	And installing it by transmitting a		

P's Narrowed D's Counters 28 / 56

DESIGNATION	SOURCE	DURATION	I D
157:16 - 158:01	Gazneli, Tamir 2024-09-04	00:00:22	Gazneli4_30PM_v
	157:16 A. Installing it by sending the		er1.102
	157:17 required messages to the target's WhatsApp client.		
	157:18 Q. And you understand that those		
	157:19 WhatsApp messages travel through WhatsApp's		
	157:20 ecosystem?		
	157:21 A. Any message in the WhatsApp		
	157:22 ecosystem travels through WhatsApp's ecosystem.		
	157:23 Q. Including the ones that were being		
	157:24 sent as part of the Hummingbird installation		
	157:25 vectors, right?		
	158:01 A. Yes.		
158:14 - 158:18	Gazneli, Tamir 2024-09-04	00:00:11	Gazneli4_30PM_v
<b>P</b> P60.2.3	158:14 Q. It says:		er1.103
	158:15 "Fingerprint is done by sending a forged		
	158:16 request from a client that mimics a server		
	158:17 response to the target WA client."		
	158:18 What does that mean?		
158:21 - 158:22	Gazneli, Tamir 2024-09-04	00:00:11	Gazneli4_30PM_v
	158:21 A. As I read it, it means send specific		er1.104
	158:22 message from one client to the target client.		
159:12 - 159:13	Gazneli, Tamir 2024-09-04	00:00:02	Gazneli4_30PM_v
	159:12 Q. Okay. So what does "forged request"		er1.105
	159:13 mean?		
159:19 - 159:20	Gazneli, Tamir 2024-09-04	00:00:07	Gazneli4_30PM_v
	159:19 A. It says that it mimics servers		er1.106
	159:20 response to the client's target.		
159:24 - 160:06	Gazneli, Tamir 2024-09-04	00:00:25	Gazneli4_30PM_v
103.21 100.00	159:24 Q. What does it mean that it mimics the	00.00.20	er1.107
	159:25 server response?		
	160:01 A. This is a response that the server		
	160:02 generates, and it mimics that message towards the		
	160:03 client, the target's client.		
	160:04 Q. So it sends a message that appears		
	160:05 to be a server response?		
	160:06 A. Yes.		
162:04 - 162:11	Gazneli, Tamir 2024-09-04	00:00:26	Gazneli4_30PM_v
	162:04 Q. And the forged request that is		er1.108
	Total . Q. And the longed request that is		

P's Narrowed D's Counters 29 / 56

		00211Ct1+_501 III_VC11		
DESIGNATION	SOURCE		DURATION	I D
	162:05 referred t	o there, that is the same thing as the		
	162:06 mimicked	I server response, or are those two		
	162:07 different	things?		
	162:08 A. This is the	e same one.		
	162:09 Q. So it is a r	nessage sent by WIS that		
	162:10 appears t	o be legitimate WhatsApp traffic but is		
	162:11 not, right	?		
162:14 - 162:21	Gazneli, Tamir 2024	09-04	00:00:19	Gazneli4_30PM_v
	162:14 A. This is leg	gitimate by the target		er1.109
		ved it and by the ecosystem that		
		ed the message from peer to peer.		
		ot an actual response		
	-	WhatsApp server, right?		
	162:19 A. It could b			
	162:20 Q. Right. It a	appears to be, right?		
	162:21 A. No, it cou	ld be.		
162:23 - 163:19	Gazneli, Tamir 2024	-09-04	00:00:59	Gazneli4_30PM_v
	•	am going to have to go		er1.110
	_	ause you lost me. When it says it is a		
		quest that mimics a server response, you		
	163:01 are saying	g that could be a legitimate WhatsApp		
		sponse, so it is not forged or mimicked.		
	163:03 It actually	could just be a WhatsApp server		
	163:04 response	?		
	163:05 A. It is mimi	cked and forged in terms		
	163:06 of maybe	sequence, maybe timing, maybe content	,	
	163:07 but it for	sure can be a valid response from the		
	163:08 server.			
	163:09 Q. Right, exc	ept that it doesn't		
	163:10 originate	from the WhatsApp server. It originates		
	163:11 from WIS	?		
	163:12 A. You state	d before that everything is		
	163:13 transferre	ed transformed throughout the WhatsAp	р	
	163:14 servers, s	o it practically originated from got		
		ugh and landed on the WhatsApp client, o	n	
	_	c's device.		
	163:17 Q. Right, ori			
		ransferring to WhatsApp		
	163:19 ecosyster	n.		
163:20 - 163:22	Gazneli, Tamir 2024	-09-04	00:00:07	Gazneli4_30PM_v

P's Narrowed D's Counters 30 / 56

	Gaznell4_30PM_ver1		
DESIGNATION	SOURCE	DURATION	I D
	163:20 Q. But it is not a request that the		er1.111
	163:21 WhatsApp client, the standard WhatsApp client		
	163:22 would have the ability to send?		
163:25 - 164:09	Gazneli, Tamir 2024-09-04	00:00:31	Gazneli4_30PM_v
	163:25 A. We will have to discuss what is		er1.112
	164:01 who is using and what are the capabilities of		
	164:02 WhatsApp client.		
	164:03 Q. But I couldn't open up my WhatsApp		
	164:04 and send this forged request right now, could I?		
	164:05 A. No.		
	164:06 Q. So it is something that NSO, through		
	164:07 its R&D function, developed the capability to		
	164:08 transmit.		
	164:09 A. Yes.		
183:04 - 183:13	Gazneli, Tamir 2024-09-04	00:00:25	Gazneli4_30PM_v
<b>P</b> P60.2.4	183:04 Q. And so it says:	00.00.20	er1.113
Ø 1 00.2.4	183:05 "In order to attack we initiate a		0
	183:06 WhatsApp voice call with target."		
	183:07 Do you see that?		
	183:08 A. Yes.		
	183:09 Q. And that is the first step of what		
	183:10 you call phase one?		
	183:11 A. Yes.		
	183:12 Q. And that WhatsApp voice call is		
	183:13 initiated from NSO's WIS. Is that right?		
183:16 - 184:13	Gazneli, Tamir 2024-09-04	00:01:14	Carnolid 20DM v
183:10 - 184:13	·	00:01:14	Gazneli4_30PM_v er1.114
	183:16 A. NSO's WIS code is able to send		611.114
	183:17 messages that initiates the WhatsApp voice call,		
<b>A P</b> (0.2 F	183:18 on the endpoint WhatsApp target, WhatsApp client	<b>Ι.</b>	
<b>©</b> P60.2.5	183:19 Q. It continues:		
	183:20 "As part of the call, initiation		
	183:21 handshake between our WIS client and target's		
	183:22 WhatsApp client."		
	183:23 Let me pause there. What does that		
	refer to, "Initiation handshake between our WIS		
	183:25 client and target's WhatsApp client"?		
	184:01 A. As I said, this is part of protocol  184:02 messages which are required to initiate the		
	<ul><li>184:02 messages which are required to initiate the</li><li>184:03 WhatsApp call. It is not a single message. It is</li></ul>		
	184:04 back and forth messages which are handshaked		
	TOT.OT DACK and IOI III HIESSAKES WHICH AIE HAHUSHAKEU		

P's Narrowed D's Counters 31 / 56

DESIGNATION	SOURCE	DURATION	I D
	184:05 between the source and the target.		
	184:06 Q. And that occurs through the WhatsApp		
	184:07 servers?		
	184:08 A. As we said before, every		
	184:09 transmission between two peers have to be		
	184:10 transmitted through WhatsApp servers.		
	184:11 Q. Including this transmission that we		
	184:12 are talking about here?		
	184:13 A. Including this, yes.		
186:10 - 186:22	Gazneli, Tamir 2024-09-04	00:00:42	Gazneli4_30PM_v
	186:10 Q. You were going to answer. On the		er1.115
	186:11 source side, it is not a WhatsApp client, it is		
	186:12 the WIS which NSO created. Isn't that correct?		
	186:13 A. The messages are built and sent from		
	186:14 the WIS client. These messages have to be sent		
	186:15 from an application. Client is notified, which he		
	186:16 uses to connect to the application and sends the		
	186:17 messages through a real and active client.		
	186:18 Q. Through an active WhatsApp account?		
	186:19 A. Yes.		
	186:20 Q. And whose account would that be?		
	186:21 A. Accounts were established for the		
	186:22 customer.		
187:24 - 188:01	Gazneli, Tamir 2024-09-04	00:00:10	Gazneli4_30PM_v
	187:24 Q. And so the message is created by the		er1.116
	187:25 WIS but transmitted through that WhatsApp client?		
	188:01 A. Yes.		
188:02 - 188:11	Gazneli, Tamir 2024-09-04	00:00:27	Gazneli4_30PM_v
	188:02 Q. And when you said it has		er1.117
	188:03 connectivity, the WIS has connectivity to a		
	188:04 genuine WhatsApp client, what did you mean by		
	188:05 that?		
	188:06 A. I mean that it has to be it has		
	188:07 to have a way to connect the target and send the		
	188:08 message to the target.		
	188:09 Q. So the WIS is able to send the		
	188:10 message it created through that WhatsApp client?		
	188:11 A. Yes.		
188:12 - 188:25	Gazneli, Tamir 2024-09-04	00:00:38	Gazneli4_30PM_v

P's Narrowed D's Counters 32 / 56

DESIGNATION	SOURCE		DURATION	I D
	188:12 Q.	Got it. And that WhatsApp client on		er1.118
	188:13	the initiating side would be one that an		
	188:14	account that NSO created?		
	188:15 A.	As part of deployment, each customer		
	188:16	has his own set of WhatsApp clients that were used		
	188:17	for this operation.		
	188:18 Q.	Created by NSO, right?		
	188:19 A.	Yes.		
	188:20 Q.	Through the White Services team?		
	188:21 A.	Yes.		
	188:22 Q.	And those would be anonymized as		
	188:23	well?		
	188:24 A.	Yes, as part of the operation		
	188:25	requirements for the customer to be anonymized.		
189:25 - 190:15	Gazneli, T	amir 2024-09-04	00:01:10	Gazneli4_30PM_v
<b>9</b> P60.2.6	189:25 Q.	It says:		er1.119
	190:01	"As part of the call we add to a		
	190:02	specific field (property) of the messages request		
	190:03	payload that is named relay servers and usually		
	190:04	contains five constant IP addresses of relay		
	190:05	servers."		
	190:06	What does that mean?		
	190:07 A.	As part of the initiation of the		
	190:08	WhatsApp voice call, the data that is transmitted		
	190:09	during the voice call is transmitted to relay		
	190:10	servers which are designed to transmit data from		
	190:11	peer to peer. What it states, that we had the		
	190:12	ability to add specific field to the property and		
	190:13	to the payload request sent, which is named relay		
	190:14	servers, which usually contains five constant IPs		
	190:15	and an additional one.		
191:07 - 192:15	Gazneli, T	amir 2024-09-04	00:01:32	Gazneli4_30PM_v
	191:07 Q.	In a normal WhatsApp communication,		er1.120
	191:08	there would only be the five relay servers.		
	191:09	Right?		
	191:10 A.	Yes.		
	191:11 Q.	That is what it means by "usually		
	191:12	has five relay servers", right?		
	191:13 A.	Yes.		
<b>©</b> P60.2.7	191:14 Q.	And it continues:		

P's Narrowed D's Counters 33 / 56

DESIGNATION	SOURCE	DURATION	I D
	191:15 "When a standard WhatsApp client		
	initiates a voice call, it goes out with 5 IP		
	191:17 addresses."		
	191:18 And that is what you were referring to,		
	191:19 right?		
	191:20 A. Yes.		
	191:21 Q. So the message as sent from WIS was		
	191:22 different than what is called here as a		
	191:23 standard what would come from a standard		
	191:24 WhatsApp client, right?		
	191:25 A. A standard WhatsApp client would		
	192:01 as it states here, five IP addresses.		
	192:02 Q. And the message sent from WIS would		
	192:03 have six?		
	192:04 A. The message sent from WIS enforces		
	192:05 to add additional one.		
	192:06 Q. Sorry, enforces?		
	192:07 A. Yes, enforces to add to that list		
	192:08 additional one relay server		
	192:09 Q. Right, so the message sent by WIS is		
	192:10 different. It has six relay servers instead of		
	192:11 five, right?		
	192:12 A. Yes.		
	192:13 Q. What do you mean when you say it		
	192:14 enforces to add to this list one additional relay		
	192:15 server?		
192:18 - 193:01	Gazneli, Tamir 2024-09-04	00:00:29	Gazneli4_30PM_v
	192:18 Q. Is that what you said? I was not		er1.121
	192:19 sure I heard you correctly. Is the word		
	192:20 "enforces"?		
	192:21 A. Tused the word enforces. What I		
	192:22 was talking about is it is read from the		
	192:23 written text, the usual at least contains five,		
	192:24 and then it says we had the ability to add one		
	192:25 additional relay servers list, which is forcing or		
	193:01 adding an additional one.		
195:19 - 196:01	Gazneli, Tamir 2024-09-04	00:00:32	Gazneli4_30PM_v
	195:19 Q. Let me ask it this way. What is		er1.122
	v transfer of the control of the co		
	195:20 transmitted via that sixth relay server?		

P's Narrowed D's Counters 34 / 56

	Guziicu50i iii_veiz		
DESIGNATION	SOURCE	DURATION	I D
	195:22 Q. From where?		
	195:23 A. Generated, as we said, from the WIS		
	195:24 server through the source WhatsApp client it is		
	195:25 connected to to transmit the messages to the		
	196:01 target WhatsApp client.		
199:03 - 199:10	Gazneli, Tamir 2024-09-04	00:00:24	Gazneli4_30PM_v
	199:03 Q. You don't know. Okay. This whole		er1.123
	199:04 installation flow, it requires the target to have		
	199:05 a WhatsApp client, is that right?		
	199:06 A. Yes.		
	199:07 Q. So it operates by sending a message		
	199:08 to the target's WhatsApp client, meaning their		
	199:09 WhatsApp application on their mobile device?		
	199:10 A. Yes.		
201:18 - 201:25	Gazneli, Tamir 2024-09-04	00:00:26	Gazneli4_30PM_v
<b>9</b> P60.3.1	201:18 Q. So what does that mean when it says		er1.124
	201:19 "We send an encrypted message to the target. The		
	201:20 message is encrypted in a non-standard way that is		
	201:21 unknown to the target's WhatsApp client"?		
	201:22 A. As I understand it, we use		
	201:23 encryption method that the target cannot detect as	S	
	201:24 a valid one or possible one and therefore discards		
	204.25		
	the message.		
205:04 - 205:07	Gazneli, Tamir 2024-09-04	00:00:11	Gazneli4_30PM_v
205:04 - 205:07		00:00:11	Gazneli4_30PM_v er1.125
205:04 - 205:07	Gazneli, Tamir 2024-09-04	00:00:11	
205:04 - 205:07	Gazneli, Tamir 2024-09-04 205:04 to your answer but let me ask it. That feature	00:00:11	
205:04 - 205:07	Gazneli, Tamir 2024-09-04  205:04 to your answer but let me ask it. That feature  205:05 here, that it only sends specific messages, that	00:00:11	
205:04 - 205:07 205:09 - 205:18	Gazneli, Tamir 2024-09-04  205:04 to your answer but let me ask it. That feature  205:05 here, that it only sends specific messages, that  205:06 is not the only way the WIS is different from a	00:00:11	
	Gazneli, Tamir 2024-09-04  205:04 to your answer but let me ask it. That feature  205:05 here, that it only sends specific messages, that  205:06 is not the only way the WIS is different from a  205:07 legitimate subscriber of WhatsApp, is it?		er1.125
	Gazneli, Tamir 2024-09-04  205:04 to your answer but let me ask it. That feature  205:05 here, that it only sends specific messages, that  205:06 is not the only way the WIS is different from a  205:07 legitimate subscriber of WhatsApp, is it?  Gazneli, Tamir 2024-09-04	00:00:40	er1.125  Gazneli4_30PM_v
	Gazneli, Tamir 2024-09-04  205:04 to your answer but let me ask it. That feature  205:05 here, that it only sends specific messages, that  205:06 is not the only way the WIS is different from a  205:07 legitimate subscriber of WhatsApp, is it?  Gazneli, Tamir 2024-09-04  205:09 A. So my answer was WIS server	00:00:40	er1.125  Gazneli4_30PM_v
	Gazneli, Tamir 2024-09-04  205:04 to your answer but let me ask it. That feature  205:05 here, that it only sends specific messages, that  205:06 is not the only way the WIS is different from a  205:07 legitimate subscriber of WhatsApp, is it?  Gazneli, Tamir 2024-09-04  205:09 A. So my answer was WIS server  205:10 implements and enables to craft end messages and	00:00:40	er1.125  Gazneli4_30PM_v
	Gazneli, Tamir 2024-09-04  205:04 to your answer but let me ask it. That feature  205:05 here, that it only sends specific messages, that  205:06 is not the only way the WIS is different from a  205:07 legitimate subscriber of WhatsApp, is it?  Gazneli, Tamir 2024-09-04  205:09 A. So my answer was WIS server  205:10 implements and enables to craft end messages and  205:11 content that is required for the installation	00:00:40	er1.125  Gazneli4_30PM_v
	Gazneli, Tamir 2024-09-04  205:04 to your answer but let me ask it. That feature  205:05 here, that it only sends specific messages, that  205:06 is not the only way the WIS is different from a  205:07 legitimate subscriber of WhatsApp, is it?  Gazneli, Tamir 2024-09-04  205:09 A. So my answer was WIS server  205:10 implements and enables to craft end messages and  205:11 content that is required for the installation  205:12 phase or gaining remote code execution on the	00:00:40	er1.125  Gazneli4_30PM_v
	Gazneli, Tamir 2024-09-04  205:04 to your answer but let me ask it. That feature  205:05 here, that it only sends specific messages, that  205:06 is not the only way the WIS is different from a  205:07 legitimate subscriber of WhatsApp, is it?  Gazneli, Tamir 2024-09-04  205:09 A. So my answer was WIS server  205:10 implements and enables to craft end messages and  205:11 content that is required for the installation  205:12 phase or gaining remote code execution on the  205:13 target's WhatsApp client.	00:00:40	er1.125  Gazneli4_30PM_v
	Gazneli, Tamir 2024-09-04  205:04 to your answer but let me ask it. That feature  205:05 here, that it only sends specific messages, that  205:06 is not the only way the WIS is different from a  205:07 legitimate subscriber of WhatsApp, is it?  Gazneli, Tamir 2024-09-04  205:09 A. So my answer was WIS server  205:10 implements and enables to craft end messages and  205:11 content that is required for the installation  205:12 phase or gaining remote code execution on the  205:13 target's WhatsApp client.  205:14 Q. And those messages are different	00:00:40	er1.125  Gazneli4_30PM_v
	Gazneli, Tamir 2024-09-04  205:04 to your answer but let me ask it. That feature  205:05 here, that it only sends specific messages, that  205:06 is not the only way the WIS is different from a  205:07 legitimate subscriber of WhatsApp, is it?  Gazneli, Tamir 2024-09-04  205:09 A. So my answer was WIS server  205:10 implements and enables to craft end messages and  205:11 content that is required for the installation  205:12 phase or gaining remote code execution on the  205:13 target's WhatsApp client.  205:14 Q. And those messages are different  205:15 than the messages that would be sent by a regular	00:00:40	er1.125  Gazneli4_30PM_v

P's Narrowed D's Counters 35 / 56

	I	Gazilett4_SUPM_Vel1		
DESIGNATION	SOURCE		DURATION	I D
206:12 - 208:05	Gazneli, Ta	amir 2024-09-04	00:02:38	Gazneli4_30PM_v
<b>P</b> P60.3.2	206:12 Q.	The next section down there is sort		er1.127
	206:13	of a header there that says "Threat Actors		
	206:14	Involved". Do you see that?		
	206:15 A.	Yes.		
	206:16 Q.	And that refers to parties that		
	206:17	might compromise the secrecy of the WhatsApp		
	206:18	installation vector?		
	206:19 A.	I would have to read it.		
	206:20 Q.	Go for it. Go ahead.		
	206:21 A.	Okay.		
	206:22 Q.	So "threat actors" there refers to		
	206:23	parties that might compromise the operational		
	206:24	security of the installation vector. Is that		
	206:25	right?		
	207:01 A.	As titled maybe, but I don't think		
	207:02	the content is relevant for this headline.		
	207:03 Q.	So maybe it refers to what do you		
	207:04	understand "threat actors" to mean, as NSO and Q	<u>!</u> 's	
	207:05	corporate representative?		
	207:06 A.	Threat actors is as you said, but I		
	207:07	said that the content of the paragraph doesn't		
	207:08	necessarily address the "threat actors" as the		
	207:09	headline states.		
		But we agree that threat actors		
	207:11	means parties that might compromise operationa		
	207:12	security?		
	207:13 A.			
	_	Meaning parties that might detect		
	207:15	and seek to prevent the exploit?		
	207:16 A.			
		And one of those is WhatsApp itself,		
	207:18	right?		
	207:19 A.			
	_	In fact, there are three identified;		
	207:21	first WhatsApp, then WhatsApp anti-spamming mo	odel	
	207:22	and measures and then target. Right?		
	207:23 A.			
	_	That is what is identified as threat		
	207:25	actors to operational security in this document?		
	208:01 A.	Yes.		

P's Narrowed D's Counters 36 / 56

DESIGNATION	SOURCE	DURATION	I D
	208:02 Q. And you understood d	uring your time	
	208:03 working on the Humm	ingbird vectors that WhatsApp	
	208:04 would try to shut dow	n the installation vectors if	
	208:05 they were detected. R	ight?	
208:08 - 208:12	Gazneli, Tamir 2024-09-04	00:00:11	Gazneli4_30PM_v
	208:08 A. WhatsApp would shut	down or close	er1.128
	208:09 any vulnerability it kno	ew about or exists.	
	208:10 Q. Including the vulnerab	oilities that	
	208:11 were being exploited f	or the Hummingbird vectors,	
	208:12 right?		
208:15 - 209:05	Gazneli, Tamir 2024-09-04	00:01:01	Gazneli4_30PM_v
	208:15 A. If it discovered it, yes.		er1.129
	208:16 Q. And so that discovery	by WhatsApp is	
	208:17 something that the Op	Sec team worked to avoid. Is	
	208:18 that right?		
	208:19 A. OpSec team, as I said a	at the	
	208:20 beginning document,	are placing new suggestions	
	208:21 that from their point o	f view would like to be	
	208:22 implemented. Whether	er any suggestion here is	
	208:23 implemented or not, it	t really depends on the	
	208:24 capability and whethe	r it can be applied or not.	
	208:25 Q. But my question was r	nore general,	
	209:01 not about specific sug	gestions, that discovery of	
	209:02 the exploits by WhatsA	app is something that the	
	209:03 OpSec team worked to	avoid. Isn't that right?	
	209:04 A. They suggested ways s	so that the	
	209:05 capability would prolo	ong much longer time.	
209:22 - 210:02	Gazneli, Tamir 2024-09-04	00:00:14	Gazneli4_30PM_v
<b>9</b> P60.3.3	209:22 Q. Sure. So under the Wh	natsApp section	er1.130
	209:23 of actors it says "We ki	now WhatsApp has spam	
	209:24 solving measures". Do	you see that?	
	209:25 A. Yes.		
	210:01 Q. And what does that re-	fer to, spam	
	210:02 solving measures?		
210:05 - 210:12	Gazneli, Tamir 2024-09-04	00:00:21	Gazneli4_30PM_v
<b>©</b> P60.3.4	210:05 A. As it states afterward:	"Users can	er1.131
	210:06 block people from me	ssaging when something fishy	
	210:07 is noticed about them.		
	210:08 Q. And that is your under	standing of	

P's Narrowed D's Counters 37 / 56

	Gaziieli4_30FM_vei1		
DESIGNATION	SOURCE	DURATION	I D
	210:09 spam solving measures?		
	210:10 A. As far as I understand it, it talks		
	210:11 about the ability WhatsApp added to client to		
	210:12 block specific users.		
212:06 - 212:17	Gazneli, Tamir 2024-09-04	00:00:29	Gazneli4_30PM_v
	212:06 Q. Okay. But what we have in this		er1.132
	212:07 section here, these are not suggestions from the		
	212:08 OpSec team. These are observations about		
	212:09 WhatsApp's anti-spamming measures, correct?		
	212:10 A. Observations, and his understanding		
	212:11 of the features.		
	212:12 Q. Understanding of WhatsApp's		
	212:13 anti-spamming features, right?		
	212:14 A. Yes.		
	212:15 Q. And the purpose of reciting them		
	212:16 here was to formulate a strategy for how those		
	features could be avoided. Isn't that right?		
212:20 - 213:03	Gazneli, Tamir 2024-09-04	00:00:36	Gazneli4_30PM_v
	212:20 A. I would say these are his		er1.133
	212:21 understandings how these mechanisms work in or	der	
	212:22 to be taken under consideration during the		
	212:23 installation flow implementation.		
	212:24 Q. In order to avoid detection, right?		
	212:25 A. This could be understandings that		
	213:01 may even relate to not avoid measures but to even	1	
	213:02 be able to finish and complete the installation		
	213:03 flow successfully.		
213:11 - 213:12	Gazneli, Tamir 2024-09-04	00:00:04	Gazneli4_30PM_v
	213:11 The whole purpose this is an		er1.134
	213:12 Operation Security document, right?		
213:15 - 214:01	Gazneli, Tamir 2024-09-04	00:00:25	Gazneli4_30PM_v
	213:15 A. This is a draft.		er1.135
	213:16 Q. Prepared by you identified the		
	213:17 individual. A member of the Operation Security		
	213:18 team, right?		
	213:19 A. Yes.		
	213:20 Q. And you said the purpose of this is		
	213:21 suggestions for operational security, right. That		
	213:22 was your testimony, right?		

P's Narrowed D's Counters 38 / 56

	GuZiicti+_501 iii_vci 1		
DESIGNATION	SOURCE	DURATION	I D
	213:23 A. Yes.		
	213:24 Q. Right. And suggestions for		
	213:25 operational security means ways to maintain the		
	214:01 secrecy of this exploit, right?		
214:04 - 214:04	Gazneli, Tamir 2024-09-04	00:00:01	Gazneli4_30PM_v
	214:04 A. No.		er1.136
214:05 - 214:15	Gazneli, Tamir 2024-09-04	00:00:20	Gazneli4_30PM_v
	214:05 Q. What does operational security mean?		er1.137
	214:06 A. To maintain its operation.		
	214:07 Q. Maintain its operation?		
	214:08 A. Mm hmm.		
	214:09 Q. And maintaining its operation		
	214:10 requires maintaining its secrecy?		
	214:11 A. Also.		
	214:12 Q. As part of maintaining its		
	214:13 operation, because I think you testified you		
	214:14 understood if WhatsApp detected the exploit it		
	214:15 would seek to close the vulnerability. Correct?		
214:18 - 214:18	Gazneli, Tamir 2024-09-04	00:00:01	Gazneli4_30PM_v
	214:18 A. Yes.		er1.138
214:19 - 214:22	Gazneli, Tamir 2024-09-04	00:00:14	Gazneli4_30PM_v
	214:19 Q. And the purpose in that context of		er1.139
	214:20 identifying and reciting WhatsApp's anti-spamming	3	
	214:21 measures is to avoid those measures interfering		
	214:22 with the operation. Isn't that right?		
214:25 - 215:11	Gazneli, Tamir 2024-09-04	00:00:45	Gazneli4_30PM_v
	214:25 A. As I said before, this is that		er1.140
	215:01 team's or employee's understanding of the measur	res	
	215:02 and his wishes on how he sees the operational		
	215:03 security should be taken under consideration in		
	the vector, whether it can be or cannot be taken		
	215:05 under consideration in this specific vector.		
	215:06 (Inaudible) is a matter of question.		
	215:07 Q. But you agree that the reason that		
	215:08 observations about WhatsApp's anti-spamming		
	215:09 measures are included in this operation security		
	215:10 document is so that to avoid those measures		

P's Narrowed D's Counters 39 / 56

DESIGNATION	SOURCE	DURATION	I D
	215:14 Q. Isn't that why this is in here?		er1.141
215:17 - 215:24	Gazneli, Tamir 2024-09-04	00:00:24	Gazneli4_30PM_v
	215:17 A. This is here in order to whoever		er1.142
	215:18 builds the operational the installation		
	vectors, the research team would take under		
	215:20 consideration all the relevant measures that can		
	215:21 be met during the implementation of the exploit or		
	215:22 the vector.		
	215:23 Q. To avoid detection and compromise		
	215:24 the operation, right?		
216:02 - 216:05	Gazneli, Tamir 2024-09-04	00:00:16	Gazneli4_30PM_v
	216:02 A. I wouldn't say to avoid. There are		er1.143
	216:03 sections here which are relevant not for		
	216:04 avoidance, as I said, even enabling the completion		
	216:05 of the installation flow.		
228:22 - 228:24	Gazneli, Tamir 2024-09-04	00:00:07	Gazneli4_30PM_v
<b>©</b> P60.4.1	228:22 which is that this section, "Threat Landscape",		er1.144
	identifies aspects of the exploit that could lead		
	228:24 to detection risk. Is that right?		
229:02 - 229:23	Gazneli, Tamir 2024-09-04	00:01:08	Gazneli4_30PM_v
	229:02 A. As far as I understand it, it states		er1.145
	229:03 or lists the parts in the flow which are most		
	229:04 exposed.		
	229:05 Q. To the risk of compromising		
	<ul><li>229:05 Q. To the risk of compromising</li><li>229:06 operational security, right?</li></ul>		
<b>©</b> P60.4.2	229:06 operational security, right?		
<b>Ø</b> P60.4.2	229:06 operational security, right? 229:07 A. Yes.		
<b>ℱ</b> P60.4.2	<ul><li>229:06 operational security, right?</li><li>229:07 A. Yes.</li><li>229:08 Q. And the first one mentioned there is</li></ul>		
<b>ℱ</b> P60.4.2	<ul> <li>229:06 operational security, right?</li> <li>229:07 A. Yes.</li> <li>229:08 Q. And the first one mentioned there is</li> <li>229:09 "non-standard requests sent by the WIS server and</li> </ul>		
<b>Ø</b> P60.4.2	<ul> <li>229:06 operational security, right?</li> <li>229:07 A. Yes.</li> <li>229:08 Q. And the first one mentioned there is</li> <li>229:09 "non-standard requests sent by the WIS server and</li> <li>229:10 and routed through WA servers", and it continues:</li> </ul>		
<b>©</b> P60.4.2	<ul> <li>operational security, right?</li> <li>229:07 A. Yes.</li> <li>229:08 Q. And the first one mentioned there is</li> <li>"non-standard requests sent by the WIS server and and routed through WA servers", and it continues:</li> <li>"Assuming the requests that are sent by</li> </ul>		
<b>©</b> P60.4.2	<ul> <li>operational security, right?</li> <li>229:07 A. Yes.</li> <li>229:08 Q. And the first one mentioned there is</li> <li>"non-standard requests sent by the WIS server and and routed through WA servers", and it continues:</li> <li>"Assuming the requests that are sent by</li> <li>the WIS, their flow traffic rate and sources may</li> </ul>		
<b>©</b> P60.4.2	operational security, right?  229:07 A. Yes.  229:08 Q. And the first one mentioned there is  229:09 "non-standard requests sent by the WIS server and  229:10 and routed through WA servers", and it continues:  229:11 "Assuming the requests that are sent by  229:12 the WIS, their flow traffic rate and sources may  229:13 be inspected by WhatsApp, content may not be		
<b>©</b> P60.4.2	operational security, right?  229:07 A. Yes.  229:08 Q. And the first one mentioned there is  229:09 "non-standard requests sent by the WIS server and  229:10 and routed through WA servers", and it continues:  229:11 "Assuming the requests that are sent by  229:12 the WIS, their flow traffic rate and sources may  229:13 be inspected by WhatsApp, content may not be  229:14 inspected due to end to end encryption."  229:15 Do you see that?  229:16 A. Yes.		
<b>₽</b> P60.4.2	229:06 operational security, right? 229:07 A. Yes. 229:08 Q. And the first one mentioned there is 229:09 "non-standard requests sent by the WIS server and 229:10 and routed through WA servers", and it continues: 229:11 "Assuming the requests that are sent by 229:12 the WIS, their flow traffic rate and sources may 229:13 be inspected by WhatsApp, content may not be 229:14 inspected due to end to end encryption." 229:15 Do you see that? 229:16 A. Yes. 229:17 Q. And so again you would agree that		
<b>©</b> P60.4.2	229:06 operational security, right? 229:07 A. Yes. 229:08 Q. And the first one mentioned there is 229:09 "non-standard requests sent by the WIS server and 229:10 and routed through WA servers", and it continues: 229:11 "Assuming the requests that are sent by 229:12 the WIS, their flow traffic rate and sources may 229:13 be inspected by WhatsApp, content may not be 229:14 inspected due to end to end encryption." 229:15 Do you see that? 229:16 A. Yes. 229:17 Q. And so again you would agree that 229:18 non-standard requests are sent in connection		
<b>₽</b> P60.4.2	229:06 operational security, right? 229:07 A. Yes. 229:08 Q. And the first one mentioned there is 229:09 "non-standard requests sent by the WIS server and 229:10 and routed through WA servers", and it continues: 229:11 "Assuming the requests that are sent by 229:12 the WIS, their flow traffic rate and sources may 229:13 be inspected by WhatsApp, content may not be 229:14 inspected due to end to end encryption." 229:15 Do you see that? 229:16 A. Yes. 229:17 Q. And so again you would agree that		

P's Narrowed D's Counters 40 / 56

	Gaziieli4_30PM_vei1		
DESIGNATION	SOURCE	DURATION	I D
	229:21 Hummingbird vectors, non-standard requests wer	re	
	229:22 sent by the WIS server and routed through Whats	<b>А</b> рр	
	229:23 servers?		
230:01 - 230:18	Gazneli, Tamir 2024-09-04	00:00:59	Gazneli4_30PM_v
	230:01 A. I would say that WIS server creates		er1.146
	230:02 messages, that some of them may be non-standar	rd	
	230:03 and routed through (inaudible) servers, and those		
	230:04 servers had all the right and ability to decide		
	230:05 whether to route them or not.		
	230:06 Q. And when you say all the right, are		
	230:07 you talking in legal terms?		
	230:08 A. No.		
	230:09 Q. And it is identifying the risk that		
	the requests might be inspected by WhatsApp,		
	right, as a threat to operational security?		
	230:12 A. It is a fact.		
	230:13 Q. The second risk identified as the		
	230:14 non-standard activity of the WIS client doesn't		
	230:15 send standard data to WhatsApp, sends only very		
	230:16 specific requests, and that was another aspect of		
	230:17 the exploit that risked compromising operational		
	230:18 security?		
230:21 - 231:10	Gazneli, Tamir 2024-09-04	00:00:52	Gazneli4_30PM_v
	230:21 A. This is one of the aspects of the		er1.147
	230:22 WIS client. As we said, it only implements		
	230:23 specific requests and messages relevant for the		
	230:24 installation flow.		
<b>P</b> P60.4.3	230:25 Q. And the third risk identified is		
	231:01 that "target's device WhatsApp crash logs sent to		
	231:02 WhatsApp servers for analysis". Do you see that?		
	231:03 A. Yes.		
	231:04 Q. And what do you understand that to		
	231:05 mean?		
	231:06 A. If the standard procedure		
	231:07 application crashes, it sends the crash logs		
	231:08 content files to the application specific servers.		
	231:09 Q. And that also created a risk of		
	231:10 WhatsApp detecting the exploit?		
231:13 - 231:25	Gazneli, Tamir 2024-09-04	00:00:39	Gazneli4_30PM_v
	231:13 A. If somehow the installation flow may		er1.148
	231:13 A. If somehow the installation flow may		er1.148

P's Narrowed D's Counters 41 / 56

		Guziicu+_50i iii_vei i		
DESIGNATION	SOURCE		DURATION	I D
	231:14	lead to a WhatsApp client crash on the target		
	231:15	device, then according to the statement and the		
	231:16	standing of how things in this domain work the		
	231:17	WhatsApp crash would be uploaded to the servers.		
	231:18 Q.	Creating a risk of detection, right?		
	231:19 A.	That depends on the content of the		
	231:20	message of the crash and the ability of the		
	231:21	other side to understand what is in the crash.		
	231:22 Q.	But potentially creating a risk of		
	231:23	detection, creating a risk of potential detection,		
	231:24	right?		
	231:25 A.	Yes.		
235:19 - 235:22	Gazneli, T	amir 2024-09-04	00:00:10	Gazneli4_30PM_v
	235:19 Q.	But you agree that some of these are		er1.149
	235:20	suggestions for how to avoid WhatsApp's security		
	235:21	mechanisms as the author understood those secur	ity	
	235:22	mechanisms?		
235:25 - 237:06	Gazneli, T	amir 2024-09-04	00:02:36	Gazneli4_30PM_v
	235:25 A.	Some of them, if those specific		er1.150
	236:01	mechanisms were implemented on the server side,		
	236:02	may potentially detect unusual activity on the		
	236:03	servers.		
	236:04 Q.	And these are suggestions for how to		
	236:05	avoid such detection, right?		
	236:06 A.	I wouldn't say avoid but minimize		
	236:07	the risk.		
	236:08 Q.	And were certain of these		
	236:09	countermeasures implemented?		
	236:10 A.	I would have to read through.		
	236:11 Q.	Take a moment. I know it is two		
	236:12	pages of countermeasures here, but go ahead.		
	236:13 A.	Are you asking about the whole		
	236:14	section?		
	236:15 Q.	Yes, the countermeasures and		
	236:16	mitigations starts on page 8960 and continues on		
	236:17	to 961.		
	236:18 A.	For now I can address the		
	236:19	fingerprint stage if you want and then I can		
	236:20	continue.		
	236:21 Q.	You can answer just yes or no, were		

P's Narrowed D's Counters 42 / 56

	Gaziieli4_30PM_vei1		
DESIGNATION	SOURCE	DURATION	I D
	236:22 any of the suggested counter measures in th	ie	
	236:23 fingerprint section implemented by NSO?		
	236:24 A. For any the answer is yes, but in		
	236:25 order to answer exactly which and how mar	ıy, I	
	237:01 would have to read it all.		
	237:02 Q. Fair enough. So let's drop that.		
	237:03 Would you agree, as a general matter, that N	ISO	
	237:04 took steps to minimize the risk of detection	by	
	237:05 WhatsApp of the Hummingbird vectors?		
	237:06 A. Yes.		
243:10 - 244:21	Gazneli, Tamir 2024-09-04	00:01:48	Gazneli4_30PM_v
<b>6</b> P62.2.1	243:10 Q. Let me show you what has been marked		er1.151
	243:11 as Exhibit 2035, another Confluence docume	ent with	
	the header 2.52 Android. Do you see that or	the	
	243:13 Bates NSO_WHATSAPP ending 288?		
	243:14 A. Yes.		
	243:15 (Exhibit 2035 marked for identification)		
	243:16 Q. We looked in another exhibit at a		
	243:17 document that concerned the release of Peg	gasus	
	243:18 2.50. Do you recall that?		
	243:19 A. Yes.		
	243:20 Q. And that Pegasus 2.50 included		
	243:21 Heaven for the first time. Do you recall that	?	
	243:22 A. Yes.		
	243:23 Q. Do you recognize this document,		
	243:24 Exhibit 2035?		
	243:25 A. Yes.		
	244:01 Q. And 2.52 refers to a version of		
	244:02 Pegasus, is that right?		
	244:03 A. Yes.		
	244:04 Q. And it notes that 2.52, Pegasus 2.52		
	is officially approved for production, right?		
	244:06 A. Yes.		
<b>6</b> P62.2.2	244:07 Q. And it notes that among the		
	244:08 enhancements included for Heaven specific	ally is	
	244:09 "support for the most updated WhatsApp Ve	ersion	
	244:10 2.18.46 that was released by WhatsApp less	than a	
	244:11 week ago". Do you see that?		
	244:12 A. Yes.		
	244:13 Q. And so this reflects NSO working to		

P's Narrowed D's Counters 43 / 56

		Guziieti+_50i m_vei i		
DESIGNATION	SOURCE		DURATION	I D
	244:14	quickly update the Heaven vector to respond to a		
	244:15	software update by WhatsApp?		
	244:16 A.	WhatsApp versions are released		
	244:17	were released every two weeks, so according to		
	244:18	what it states it doesn't state it has to be		
	244:19	updated. Maybe no change was required. Support		
	244:20	doesn't mean that any change is required		
	244:21	because		
245:18 - 247:01	Gazneli, Ta	amir 2024-09-04	00:01:39	Gazneli4_30PM_v
	245:18 Q.	What is the process to update		er1.152
	245:19	Pegasus to reflect WhatsApp updates?		
	245:20 A.	It depends on the version.		
	245:21 Q.	Which team of individuals are		
	245:22	responsible or were responsible in the relevant		
	245:23	time period for updating the Hummingbird exploits	5	
	245:24	to respond to WhatsApp updates?		
	245:25 A.	For all that was required or related		
	246:01	to the vulnerabilities part, it is the research		
	246:02	team.		
	246:03 Q.	For which part?		
	246:04 A.	Vulnerabilities part.		
	246:05 Q.	Is the research team?		
	246:06 A.	Yes.		
	246:07 Q.	And what process would they		
	246:08	undertake to update the Hummingbird vectors in		
	246:09	response to WhatsApp updates?		
	246:10 A.	Download the new version to the		
	246:11	internal ecosystem, execute the installation flow		
	246:12	and, if it works right, just update the version		
	246:13	numbers supported and if not then to be adjusted		
	246:14	accordingly to the changes that were done in the		
	246:15	application.		
	246:16 Q.	<u> </u>		
	246:17	required you would update the version number of		
	246:18	Pegasus?		
	246:19 A.			
	246:20 Q.			
	246:21	part of that updating process?		
	246:22 A.	•		
	246:23	has to be done in order to adjust to it.		

P's Narrowed D's Counters 44 / 56

	Gazneli4_30PM_ver1		
DESIGNATION	SOURCE	DURATION	I D
	246:24 Q. So with respect to some updates,		
	246:25 yes?		
	247:01 A. Some updates.		
247:04 - 247:17	Gazneli, Tamir 2024-09-04	00:00:54	Gazneli4_30PM_v
<b>☆</b> Clear	247:04 Q. Let's do tab 32, please. By the		er1.153
	247:05 way, Mr. Gazneli, we talked earlier about the		
	247:06 information that Pegasus allows a customer to		
	247:07 access from a target device. Do you recall that		
	247:08 generally?		
	247:09 A. Yes.		
	247:10 Q. Is that generally the same		
	247:11 information that you could access if you had a		
	247:12 password to the device?		
	247:13 A. Password in terms of		
	247:14 Q. Mm hmm.		
	247:15 A physical access to the device?		
	247:16 Q. Yes?		
	247:17 A. Yes.		
248:25 - 249:03	Gazneli, Tamir 2024-09-04	00:00:10	Gazneli4_30PM_v
	248:25 Q. You understand you have been		er1.156
	249:01 designated, subject to your counsel's objections,		
	249:02 as to the impact of the April 2018 WhatsApp update	<u>.</u>	
	249:03 on the relevant spyware?		
249:09 - 249:13	Gazneli, Tamir 2024-09-04	00:00:18	Gazneli4_30PM_v
	249:09 MR. AKROTIRIANAKIS: The designation is		er1.157
	249:10 "Efforts to develop new covert lawful intercept		
	249:11 technologies during the time period at issue in		
	249:12 this case that could be used with respect to		
	249:13 Android devices."		
249:20 - 249:23	Gazneli, Tamir 2024-09-04	00:00:05	Gazneli4 30PM v
	249:20 MR. PEREZ-MARQUES: So you are		er1.158
	249:21 designated, subject to your counsel's objections,		
	249:22 on topic 28, right?		
	249:23 A. Yes.		
250:01 - 250:03	Gazneli, Tamir 2024-09-04	00:00:07	Gazneli4_30PM_v
20.00	250:01 MR. PEREZ-MARQUES: You are also		er1.159
	250:02 designated on topic 29, defendant's efforts to		. 52
	250:03 circumvent the April 2018 WhatsApp update?		
250.04 250.42		00.00.25	Campal: 4 20014
250:04 - 250:12	Gazneli, Tamir 2024-09-04	00:00:25	Gazneli4_30PM_v

P's Narrowed D's Counters 45 / 56

	Gaziieti4_SUPM_vei 1		
DESIGNATION	SOURCE	DURATION	I D
	250:04 MR. AKROTIRIANAKIS: The designation in		er1.160
	250:05 respect of Exhibit 29 is defendant's efforts to		
	250:06 develop new covert lawful intercept technologies		
	250:07 during the time period at issue in this case that		
	250:08 could be used with respect to Android devices. It		
	250:09 is the same designation.		
	250:10 MR. PEREZ-MARQUES: Okay, and so that is		
	a yes, you are designated on that topic?		
	250:12 A. Yes.		
250:13 - 251:01	Gazneli, Tamir 2024-09-04	00:00:41	Gazneli4_30PM_v
	250:13 Q. And so do you recall in May 2018 the		er1.161
	250:14 vectors losing their functionality, the		
	250:15 Hummingbird vectors?		
	250:16 A. As I said, if you wanted to		
	250:17 introduce me the exact change that was done then	1	
	250:18 can address the question, but as I said, version		
	250:19 was released every two weeks, so whether it is		
	relevant to the exploitation or not, things may be		
	250:21 changed and need to be addressed, so I cannot		
	250:22 recall the exact specific changes that happened on		
	250:23 that version.		
	250:24 Q. Is it right to assume that most		
	250:25 WhatsApp updates did not compromise the		
	251:01 functionality of the vectors?		
251:04 - 251:06	Gazneli, Tamir 2024-09-04	00:00:04	Gazneli4_30PM_v
	251:04 A. No.		er1.162
	251:05 Q. No? Many of them did?		
	251:06 A. Yes.		
251:18 - 251:24	Gazneli, Tamir 2024-09-04	00:00:21	Gazneli4_30PM_v
	251:18 Q. In those instances up to the time		er1.163
	251:19 period at least of May 2019, when a WhatsApp		
	251:20 software update compromised the functionality of		
	251:21 the Hummingbird vectors, NSO was able to find a		
	251:22 way to restore their functionality. Isn't that		
	251:23 right?		
	251:24 A. Yes.		
	Gazneli, Tamir 2024-09-04	00:01:23	Gazneli4_30PM_v
252:11 - 253:18	· · · · · · · · · · · · · · · · · · ·		
252:11 - 253:18	252:11 (Exhibit 2037 marked for identification.)		er1.164

P's Narrowed D's Counters 46 / 56

		Gaziieli4_30FM_Vei1		
DESIGNATION	SOURCE	I	DURATION	I D
<b>Ø</b> P65.2	252:13	chat between various participants on July 9, 2018.		
	252:14	Do you see that?		
	252:15 A.	Okay, yes.		
<b>𝚱</b> P65.3.1	252:16 Q.	The second page includes a message,		
	252:17	the third one down, from Yossi Monsingo. Do you		
	252:18	see that?		
	252:19 A.	Yes.		
	252:20 Q.	Do you know who that is?		
	252:21 A.	Yes.		
	252:22 Q.	Who is that?		
	252:23 A.	I don't recall his exact position at		
	252:24	that same time but he worked in customer facing or		
	252:25	NOC.		
	253:01 Q.	Like customer support of some kind?		
	253:02 A.	Yes.		
	253:03 Q.	Not a member of the R&D team?		
	253:04 A.	No.		
	253:05 Q.	And he writes his message		
	253:06	includes an update that WhatsApp released a new		
	253:07	version two days ago that is not yet supported but		
	253:08	probably tomorrow R&D will release a new package.		
	253:09	Do you see that?		
	253:10 A.	Yes.		
		And that again reflects NSO updating		
	253:12	its installation vectors in response to software		
	253:13	updates from WhatsApp?		
	253:14 A.			
		And the two or three day timeframe,		
	253:16	is that typical of how long it would take the R&D		
Б	253:17	department to update the vectors in response to a		
<b>☆</b> Clear	253:18	WhatsApp update.		
253:21 - 255:04	Gazneli, Ta	amir 2024-09-04	00:01:47	Gazneli4_30PM_v
	253:21 A.	No.		er1.165
	253:22 Q.	How long would be typical?		
	253:23 A.	It depends on the changes.		
	253:24 Q.	What would the range be?		
	253:25 A.	It may be from one day to half a		
	254:01	year.		
<b>©</b> P34.2.1	254:02 Q.	This was previously marked as		
	254:03	Exhibit 2007, which is a WhatsApp message between	1	

P's Narrowed D's Counters 47 / 56

	Gazilett4_30FM_Vel1		
DESIGNATION	SOURCE	DURATION	I D
	254:04 various participants on December 5, 2018.		
	254:05 A. Yes.		
<b>©</b> P34.2.2	254:06 Q. It states in the second message		
	254:07 down:		
	254:08 "WhatsApp has made changes in their		
	254:09 servers that currently fail all installations and		
	254:10 can cause crashes that risk the Hummingbird		
	254:11 vector."		
	254:12 Do you see that?		
	254:13 A. Yes.		
	254:14 Q. And do you recall in December 2018 a		
	254:15 WhatsApp update that caused all Hummingbir	d	
	254:16 installations to crash?		
	254:17 A. Yes.		
	254:18 Q. What do you recall about that?		
	254:19 A. I recall that that specific change		
	254:20 was around our ability to end that specific rela	у	
	254:21 server that we talked about previously, and		
	254:22 controlling the target's WhatsApp client to cho	ose	
	254:23 that specific relay server for communication.		
	254:24 Q. And the reference here, when it says		
	254:25 "can cause crashes that risk the Hummingbird		
	255:01 vector", that relates back to what we saw in the	9	
	255:02 OpSec document about crashes potentially		
	255:03 generating logs that would be reviewed by		
	255:04 WhatsApp?		
255:07 - 255:12	Gazneli, Tamir 2024-09-04	00:00:19	Gazneli4_30PM_v
	255:07 A. This relates to crashes that after		er1.166
	255:08 the change may occur on the target's devices.		
	255:09 Q. And the reason they would risk the		
	255:10 Hummingbird vector is potentially, among other	er	
	reasons, because they could be reviewed by		
	255:12 WhatsApp, the crash logs?		
255:15 - 255:17	Gazneli, Tamir 2024-09-04	00:00:09	Gazneli4_30PM_v
	255:15 A. They are uploaded to the server of		er1.167
	255:16 the vendor and whether they review them or n	ot is	
	255:17 their decision to make.		
256:16 - 258:12	Gazneli, Tamir 2024-09-04	00:02:22	Gazneli4_30PM_v
	256:16 Q. Did the NSO R&D team in fact work on		er1.168
	256:17 a solution to restore the Hummingbird vectors		
Die N	arrowed D's Counters		48 / 56

P's Narrowed D's Counters 48 / 56

DESIGNATION	SOURCE	DURATION	I D
	256:18	after this December 2018 update?	
	256:19 A.	•	
	256:20 Q.	And was that successful, that	
	256:21	effort?	
	256:22 A.	That was Eden.	
	256:23 Q.	So Heaven was made not operational	
	256:24	by the December 2018 update. Is that right?	
	256:25 A.	Yes.	
	257:01 Q.	And subsequently NSO released Eden?	
	257:02 A.	Yes.	
<b>6</b> P64.2.1	257:03 Q.	If we look at I am going to show	
	257:04	you another document. This is 2038.	
	257:05	(Exhibit 2038 marked for identification)	
	257:06	This is a WhatsApp chat on January 15,	
	257:07	2019 among various participants. In the first	
<b>©</b> P64.2.2	257:08	message someone named Gilad says in the last line:	
	257:09	"Bottom line, Eden works fine on S3 and	
	257:10	can be demonstrated" with a sort of smiley	
	257:11	emoticon. Do you see that?	
	257:12 A.	Yes.	
	257:13 Q.	What is S3.	
	257:14 A.		
	_	What is sales 3?	
	257:16 A.	It is an infrastructure for making	
	257:17	demonstrations for our services.	
	_	And is this the approximate timing	
	257:19	of when Eden became ready for use at least for	
	257:20	demonstration purposes.	
		For demonstration, yes.	
		And then when did Eden go live for	
	257:23	use by customers?	
		If I remember right, about a month	
	257:25	after. So this reflects NSO's fix or	
	258:01 Q. 258:02	response to the problem that was created by the	
	258:03 258:04 A.	December 2018 update? This is we doing our job to	
	258:05	delivering the customers the software they needed.	
		Right. So after the December 2018	
	258:07 Q.	update, NSO customers couldn't use the 0 click	
	258:08	Android installation vectors, right?	
	250.00	Anarola installation vectors, right:	

P's Narrowed D's Counters 49 / 56

258:09 A. Right.   258:10 Q. And Eden, once it was released,   258:11   258:10 Q. And Eden, once it was released,   258:11   258:12 A. Right.   258:13 - 258:14   A. Right.   258:13 - 258:14   A. Right.   258:13 - 258:14   A. Right.   258:13 Q. And between Heaven and Eden was   258:14   there any other - was there any 0 click Android   158:15   Installation vector in operation?   258:16 A. No.		0d2iieti+_50i iii_vei 1		
258:10 Q. And Eden, once it was released, 258:11 allowed them to do so again, right? 258:13 - 258:16 A Right.  258:13 - 258:16 Gazneli, Tamir 2024-09-04	DESIGNATION	SOURCE	DURATION	I D
258:13		258:09 A. Right.		
258:13 - 258:16   Gazneli, Tamir 2024-09-04   258:13   Q. And between Heaven and Eden was there any 0 click Android installation vector in operation?   258:14   there any other — was there any 0 click Android installation vector in operation?   258:16   A. No.   262:02   (Exhibit 2039 marked for identification.)   er1.170   P 66.2   262:03   Q. This is Exhibit 2039, which is a 262:04   WhatsApp chaft from May 12, 2019. Again various participants. In the second page Tomer Timor has a message. Who is Mr. Timor?   262:05   participants. In the second page Tomer Timor has a message. Who is Mr. Timor?   262:07   A. He was, if I am right, at that stage presales employee.   262:09   Q. Presales?   262:10   A. Yes.   262:11   Q. He says in the sort of third paragraph down:   262:11   Q. He says in the sort of third paragraph down:   262:15   side with the application it works with."   262:16   Do you recall this event?   262:17   A. Yes.   262:17   A. Yes.   262:18   Q. And what does that refer to? What   262:19   is the event that is being described here?   262:20   A. Closure of ability to trigger the vulnerability on the target's WhatsApp client.   262:24   remediation of the exploit that is described in the complaint?   262:24   remediation of the exploit that is described in the complaint?   262:24   remediation of the exploit that is described in order to prevent us from triggering the vulnerabilities.   263:05   Q. And so after this point, after that		258:10 Q. And Eden, once it was released,		
Sexistration   Sex		258:11 allowed them to do so again, right?		
258:13 Q. And between Heaven and Eden was 258:14 there any other was there any 0 click Android 258:15 installation vector in operation? 258:16 A. No.  262:02 Gazneli, Tamir 2024-09-04 00:01:15 Gazneli4_30PM_v 262:02 (Exhibit 2039 marked for identification.) 262:04 (WhatsApp chat from May 12, 2019. Again various participants. In the second page Tomer Timor has a message. Who is Mr. Timor? 262:05 a message. Who is Mr. Timor? 262:07 A. He was, if I am right, at that stage presales employee. 262:09 Q. Presales? 262:10 A. Yes. 262:11 Q. He says in the sort of third paragraph down: 262:12 paragraph down: 262:13 "So bottom line, Eden has finished its duty with us as a patch was done on the server side with the application it works with." 262:15 side with the application it works with." 262:16 Do you recall this event? 262:17 A. Yes. 262:18 Q. And what does that refer to? What 562:19 is the event that is being described here? 262:20 A. Closure of ability to trigger the valuerability on the target's WhatsApp client. 262:22 Q. So this reflect WhatsApp's remediation of the exploit that is described in the complaint? 263:03 A. It refers to changes that were done, whether on the server side and the client side, in order to prevent us from triggering the vulnerabilities. 263:05 Q. And so after this point, after that		258:12 A. Right.		
258:14 there any other – was there any 0 click Android 258:15 installation vector in operation? 258:16 A. No.  262:02 - 262:24 Gazneli, Tamir 2024-09-04 262:02 (Exhibit 2039 marked for identification.) 262:03 Q. This is Exhibit 2039, which is a 262:04 WhatsApp chat from May 12, 2019. Again various 262:06 participants. In the second page Tomer Timor has 262:06 a message. Who is Mr. Timor? 262:07 A. He was, if I am right, at that stage 262:08 presales employee. 262:09 Q. Presales? 262:10 A. Yes. 262:11 Q. He says in the sort of third 262:12 pargraph down: 262:13 "So bottom line, Eden has finished its 262:14 duty with us as a patch was done on the server 262:15 side with the application it works with." 262:16 Do you recall this event? 262:17 A. Yes. 262:18 Q. And what does that refer to? What 262:19 is the event that is being described here? 262:20 Q. So this reflect WhatsApp's 262:22 Q. So this reflect WhatsApp's 262:23 remediation of the exploit that is described in 262:24 the complaint? 263:02 - 263:03 A. It refers to changes that were done, 263:03 whether on the server side and the client side, in 263:04 order to prevent us from triggering the 263:05 vulnerabilities. 263:06 Q. And so after this point, after that	258:13 - 258:16	Gazneli, Tamir 2024-09-04	80:00:00	Gazneli4_30PM_v
258:15		258:13 Q. And between Heaven and Eden was		er1.169
258:16 A. No.  262:02 - 262:24		258:14 there any other was there any 0 click Android		
262:02 - 262:24		258:15 installation vector in operation?		
№ P66.2         262:03         Q. This is Exhibit 2039, which is a 262:04         WhatsApp chat from May 12, 2019. Again various participants. In the second page Tomer Timor has 262:05         P66.3.1         262:05         participants. In the second page Tomer Timor has 262:06         a message. Who is Mr. Timor? 262:07         A. He was, if I am right, at that stage 262:08         presales employee.         P66.3.1		258:16 A. No.		
## P66.2 262:03 Q. This is Exhibit 2039, which is a 262:04 WhatsApp chat from May 12, 2019. Again various 262:05 participants. In the second page Tomer Timor has a message. Who is Mr. Timor? 262:07 A. He was, if I am right, at that stage presales employee. 262:08 presales? 262:10 A. Yes. 262:11 Q. He says in the sort of third 262:12 paragraph down: 262:13 "So bottom line, Eden has finished its 262:14 duty with us as a patch was done on the server 262:15 side with the application it works with." 262:16 Do you recall this event? 262:17 A. Yes. 262:18 Q. And what does that refer to? What 262:19 is the event that is being described here? 262:20 A. Closure of ability to trigger the 262:21 vulnerability on the target's WhatsApp client. 262:22 Q. So this reflect WhatsApp's remediation of the exploit that is described in 262:24 the complaint? 263:03 whether on the server side and the client side, in 263:04 order to prevent us from triggering the vulnerabilities. 263:05 Q. And so after this point, after that	262:02 - 262:24	Gazneli, Tamir 2024-09-04	00:01:15	Gazneli4_30PM_v
## P66.3.1   ## P66.3.1   ## P66.3.1   ## P66.3.1   ## P66.3.1   ## P66.3.1   ## P66.3.2   ## P66.3.1   ## P66.3.2   ## P66.3.3   ## P66.3.2   ## P66.3.3   ## P66.3.   ## P66.3.   ## P66.3.   ## P66.3.   ## P66.3.   ## P66.3.		262:02 (Exhibit 2039 marked for identification.)		er1.170
priticipants. In the second page Tomer Timor has a message. Who is Mr. Timor?  262:07 A. He was, if I am right, at that stage presales employee.  262:09 Q. Presales?  262:10 A. Yes.  262:11 Q. He says in the sort of third  262:12 paragraph down:  262:13 "So bottom line, Eden has finished its duty with us as a patch was done on the server side and the client side, in order to prevent us from triggering the vulnerabilities.  262:14 A. Yes.  262:18 Q. And what does that refer to? What is the event that is being described in the exploit that is described in order to prevent us from triggering the vulnerabilities.  263:04 A. It refers to changes that were done, whether on the server side and the client side, in order to prevent us from triggering the vulnerabilities.  263:06 Q. And so after this point, after that	<b>6</b> P66.2	262:03 Q. This is Exhibit 2039, which is a		
262:06 a message. Who is Mr. Timor? 262:07 A. He was, if I am right, at that stage 262:08 presales employee. 262:09 Q. Presales? 262:10 A. Yes. 262:11 Q. He says in the sort of third 262:12 paragraph down: 262:13 "So bottom line, Eden has finished its 262:14 duty with us as a patch was done on the server 262:15 side with the application it works with." 262:16 Do you recall this event? 262:17 A. Yes. 262:18 Q. And what does that refer to? What 262:19 is the event that is being described here? 262:20 A. Closure of ability to trigger the 262:21 vulnerability on the target's WhatsApp client. 262:22 Q. So this reflect WhatsApp's 262:23 remediation of the exploit that is described in 262:24 the complaint?  263:02 - 263:19 Gazneli, Tamir 2024-09-04 one one on the server side and the client side, in 263:04 order to prevent us from triggering the 263:05 vulnerabilities. 263:06 Q. And so after this point, after that		262:04 WhatsApp chat from May 12, 2019. Again various		
262:07 A. He was, if I am right, at that stage 262:08 presales employee. 262:09 Q. Presales? 262:10 A. Yes. 262:11 Q. He says in the sort of third 262:12 paragraph down: 262:13 "So bottom line, Eden has finished its 262:14 duty with us as a patch was done on the server 262:15 side with the application it works with." 262:16 Do you recall this event? 262:17 A. Yes. 262:18 Q. And what does that refer to? What 262:19 is the event that is being described here? 262:20 A. Closure of ability to trigger the 262:21 vulnerability on the target's WhatsApp client. 262:22 Q. So this reflect WhatsApp's 262:23 remediation of the exploit that is described in 262:24 the complaint?  263:02 - 263:19 Gazneli, Tamir 2024-09-04 00:00:48 Gazneli4_30PM_v er1.171 263:03 whether on the server side and the client side, in 263:04 order to prevent us from triggering the 263:05 vulnerabilities. 263:06 Q. And so after this point, after that	<b>©</b> P66.3.1	262:05 participants. In the second page Tomer Timor has		
262:08 presales employee. 262:09 Q. Presales? 262:10 A. Yes. 262:11 Q. He says in the sort of third 262:12 paragraph down: 262:13 "So bottom line, Eden has finished its 262:14 duty with us as a patch was done on the server 262:15 side with the application it works with." 262:16 Do you recall this event? 262:17 A. Yes. 262:18 Q. And what does that refer to? What 262:19 is the event that is being described here? 262:20 A. Closure of ability to trigger the 262:21 vulnerability on the target's WhatsApp client. 262:22 Q. So this reflect WhatsApp's 262:23 remediation of the exploit that is described in 262:24 the complaint?  263:02 - 263:19 Gazneli, Tamir 2024-09-04 00:00:48 Gazneli4_30PM_v 263:03 whether on the server side and the client side, in 263:04 order to prevent us from triggering the 263:05 vulnerabilities. 263:06 Q. And so after this point, after that		262:06 a message. Who is Mr. Timor?		
262:09 Q. Presales? 262:10 A. Yes. 262:11 Q. He says in the sort of third 262:12 paragraph down: 262:13 "So bottom line, Eden has finished its 262:14 duty with us as a patch was done on the server 262:15 side with the application it works with." 262:16 Do you recall this event? 262:17 A. Yes. 262:18 Q. And what does that refer to? What 262:19 is the event that is being described here? 262:20 A. Closure of ability to trigger the 262:21 vulnerability on the target's WhatsApp client. 262:22 Q. So this reflect WhatsApp's 262:23 remediation of the exploit that is described in 262:24 the complaint? 263:02 - 263:19 Gazneli, Tamir 2024-09-04 00:00:48 Gazneli4_30PM_v 263:03 whether on the server side and the client side, in 263:04 order to prevent us from triggering the vulnerabilities. 263:05 Q. And so after this point, after that		262:07 A. He was, if I am right, at that stage		
262:10 A. Yes. 262:11 Q. He says in the sort of third 262:12 paragraph down: 262:13 "So bottom line, Eden has finished its 262:14 duty with us as a patch was done on the server 262:15 side with the application it works with." 262:16 Do you recall this event? 262:17 A. Yes. 262:18 Q. And what does that refer to? What 262:19 is the event that is being described here? 262:20 A. Closure of ability to trigger the 262:21 vulnerability on the target's WhatsApp client. 262:22 Q. So this reflect WhatsApp's 262:23 remediation of the exploit that is described in 262:24 the complaint?  263:02 - 263:19 Gazneli, Tamir 2024-09-04 00:00:48 Gazneli4_30PM_v 263:03 whether on the server side and the client side, in 263:04 order to prevent us from triggering the 263:05 vulnerabilities. 263:06 Q. And so after this point, after that		262:08 presales employee.		
262:11 Q. He says in the sort of third 262:12 paragraph down: 262:13 "So bottom line, Eden has finished its 262:14 duty with us as a patch was done on the server 262:15 side with the application it works with." 262:16 Do you recall this event? 262:17 A. Yes. 262:18 Q. And what does that refer to? What 262:19 is the event that is being described here? 262:20 A. Closure of ability to trigger the 262:21 vulnerability on the target's WhatsApp client. 262:22 Q. So this reflect WhatsApp's 262:23 remediation of the exploit that is described in 262:24 the complaint?  263:02 - 263:19 Gazneli, Tamir 2024-09-04 O0:00:48 Gazneli4_30PM_v 263:03 whether on the server side and the client side, in 263:04 order to prevent us from triggering the vulnerabilities. 263:05 Q. And so after this point, after that		262:09 Q. Presales?		
262:12 paragraph down: 262:13 "So bottom line, Eden has finished its 262:14 duty with us as a patch was done on the server 262:15 side with the application it works with." 262:16 Do you recall this event? 262:17 A. Yes. 262:18 Q. And what does that refer to? What 262:19 is the event that is being described here? 262:20 A. Closure of ability to trigger the 262:21 vulnerability on the target's WhatsApp client. 262:22 Q. So this reflect WhatsApp's 262:23 remediation of the exploit that is described in 262:24 the complaint?  263:02 - 263:19 Gazneli, Tamir 2024-09-04 00:00:48 Gazneli4_30PM_v 263:03 whether on the server side and the client side, in 263:04 order to prevent us from triggering the vulnerabilities. 263:06 Q. And so after this point, after that		262:10 A. Yes.		
262:13 "So bottom line, Eden has finished its 262:14 duty with us as a patch was done on the server 262:15 side with the application it works with." 262:16 Do you recall this event? 262:17 A. Yes. 262:18 Q. And what does that refer to? What 262:19 is the event that is being described here? 262:20 A. Closure of ability to trigger the 262:21 vulnerability on the target's WhatsApp client. 262:22 Q. So this reflect WhatsApp's 262:23 remediation of the exploit that is described in 262:24 the complaint?  263:02 - 263:19 Gazneli, Tamir 2024-09-04 00:00:48 Gazneli4_30PM_v 263:03 whether on the server side and the client side, in 263:04 order to prevent us from triggering the 263:05 vulnerabilities. 263:06 Q. And so after this point, after that		262:11 Q. He says in the sort of third		
262:14 duty with us as a patch was done on the server 262:15 side with the application it works with." 262:16 Do you recall this event? 262:17 A. Yes. 262:18 Q. And what does that refer to? What 262:19 is the event that is being described here? 262:20 A. Closure of ability to trigger the 262:21 vulnerability on the target's WhatsApp client. 262:22 Q. So this reflect WhatsApp's 262:23 remediation of the exploit that is described in 262:24 the complaint?  263:02 - 263:19 Gazneli, Tamir 2024-09-04 00:00:48 Gazneli4_30PM_v 263:03 whether on the server side and the client side, in 263:04 order to prevent us from triggering the 263:05 vulnerabilities. 263:06 Q. And so after this point, after that		262:12 paragraph down:		
262:15 side with the application it works with."  262:16 Do you recall this event?  262:17 A. Yes.  262:18 Q. And what does that refer to? What  262:19 is the event that is being described here?  262:20 A. Closure of ability to trigger the  262:21 vulnerability on the target's WhatsApp client.  262:22 Q. So this reflect WhatsApp's  262:23 remediation of the exploit that is described in  262:24 the complaint?  263:02 - 263:19 Gazneli, Tamir 2024-09-04  263:02 A. It refers to changes that were done,  263:03 whether on the server side and the client side, in  263:04 order to prevent us from triggering the  263:05 vulnerabilities.  263:06 Q. And so after this point, after that		262:13 "So bottom line, Eden has finished its		
262:16 Do you recall this event? 262:17 A. Yes. 262:18 Q. And what does that refer to? What 262:19 is the event that is being described here? 262:20 A. Closure of ability to trigger the 262:21 vulnerability on the target's WhatsApp client. 262:22 Q. So this reflect WhatsApp's 262:23 remediation of the exploit that is described in 262:24 the complaint?  263:02 - 263:19 Gazneli, Tamir 2024-09-04 00:00:48 Gazneli4_30PM_v 263:02 A. It refers to changes that were done, 263:03 whether on the server side and the client side, in 263:04 order to prevent us from triggering the 263:05 vulnerabilities. 263:06 Q. And so after this point, after that		•		
262:17 A. Yes. 262:18 Q. And what does that refer to? What 262:19 is the event that is being described here? 262:20 A. Closure of ability to trigger the 262:21 vulnerability on the target's WhatsApp client. 262:22 Q. So this reflect WhatsApp's 262:23 remediation of the exploit that is described in 262:24 the complaint?  263:02 - 263:19 Gazneli, Tamir 2024-09-04 00:00:48 Gazneli4_30PM_v 263:02 A. It refers to changes that were done, 263:03 whether on the server side and the client side, in 263:04 order to prevent us from triggering the 263:05 vulnerabilities. 263:06 Q. And so after this point, after that		side with the application it works with."		
262:18 Q. And what does that refer to? What 262:19 is the event that is being described here? 262:20 A. Closure of ability to trigger the 262:21 vulnerability on the target's WhatsApp client. 262:22 Q. So this reflect WhatsApp's 262:23 remediation of the exploit that is described in 262:24 the complaint?  263:02 - 263:19 Gazneli, Tamir 2024-09-04 00:00:48 Gazneli4_30PM_v 263:02 A. It refers to changes that were done, 263:03 whether on the server side and the client side, in 263:04 order to prevent us from triggering the 263:05 vulnerabilities. 263:06 Q. And so after this point, after that		262:16 Do you recall this event?		
is the event that is being described here?  262:20 A. Closure of ability to trigger the  262:21 vulnerability on the target's WhatsApp client.  262:22 Q. So this reflect WhatsApp's  262:23 remediation of the exploit that is described in  262:24 the complaint?  263:02 - 263:19 Gazneli, Tamir 2024-09-04  263:02 A. It refers to changes that were done,  263:03 whether on the server side and the client side, in  263:04 order to prevent us from triggering the  263:05 vulnerabilities.  263:06 Q. And so after this point, after that				
262:20 A. Closure of ability to trigger the 262:21 vulnerability on the target's WhatsApp client. 262:22 Q. So this reflect WhatsApp's 262:23 remediation of the exploit that is described in 262:24 the complaint?  263:02 - 263:19 Gazneli, Tamir 2024-09-04 00:00:48 Gazneli4_30PM_v 263:02 A. It refers to changes that were done, 263:03 whether on the server side and the client side, in 263:04 order to prevent us from triggering the 263:05 vulnerabilities. 263:06 Q. And so after this point, after that		-		
262:21 vulnerability on the target's WhatsApp client. 262:22 Q. So this reflect WhatsApp's 262:23 remediation of the exploit that is described in 262:24 the complaint?  263:02 - 263:19 Gazneli, Tamir 2024-09-04 00:00:48 Gazneli4_30PM_v 263:02 A. It refers to changes that were done, 263:03 whether on the server side and the client side, in 263:04 order to prevent us from triggering the 263:05 vulnerabilities. 263:06 Q. And so after this point, after that		_		
262:22 Q. So this reflect WhatsApp's 262:23 remediation of the exploit that is described in 262:24 the complaint?  263:02 - 263:19 Gazneli, Tamir 2024-09-04 00:00:48 Gazneli4_30PM_v 263:02 A. It refers to changes that were done, 263:03 whether on the server side and the client side, in 263:04 order to prevent us from triggering the 263:05 vulnerabilities. 263:06 Q. And so after this point, after that		, 35		
262:23 remediation of the exploit that is described in 262:24 the complaint?  263:02 - 263:19 Gazneli, Tamir 2024-09-04 00:00:48 Gazneli4_30PM_v 263:02 A. It refers to changes that were done, er1.171 263:03 whether on the server side and the client side, in 263:04 order to prevent us from triggering the vulnerabilities. 263:05 vulnerabilities. 263:06 Q. And so after this point, after that				
263:02 - 263:19  Gazneli, Tamir 2024-09-04  263:02 A. It refers to changes that were done, 263:03 whether on the server side and the client side, in 263:04 order to prevent us from triggering the 263:05 vulnerabilities. 263:06 Q. And so after this point, after that				
263:02 - 263:19  Gazneli, Tamir 2024-09-04  263:02 A. It refers to changes that were done, 263:03 whether on the server side and the client side, in 263:04 order to prevent us from triggering the 263:05 vulnerabilities. 263:06 Q. And so after this point, after that				
263:02 A. It refers to changes that were done, 263:03 whether on the server side and the client side, in 263:04 order to prevent us from triggering the 263:05 vulnerabilities. 263:06 Q. And so after this point, after that		262:24 the complaint?		
263:03 whether on the server side and the client side, in 263:04 order to prevent us from triggering the 263:05 vulnerabilities. 263:06 Q. And so after this point, after that	263:02 - 263:19	Gazneli, Tamir 2024-09-04	00:00:48	
order to prevent us from triggering the vulnerabilities. And so after this point, after that		263:02 A. It refers to changes that were done,		er1.171
263:05 vulnerabilities. 263:06 Q. And so after this point, after that				
263:06 Q. And so after this point, after that				
263:07 update in May 12 or around May 12, 2019, was NSO				
		263:07 update in May 12 or around May 12, 2019, was NSO		

P's Narrowed D's Counters 50 / 56

DESIGNATION	SOURCE	DURATION	I D
	263:08 able to use Eden after that point?		
	263:09 A. No.		
<b>©</b> P66.3.2	263:10 Q. In the next paragraph down he said:		
	263:11 "I heard some sales managers talking in		
	263:12 a dramatic way. Your job is to make sure they		
	263:13 remember that along the years NSO has prove	n time	
	after time that one of its biggest value is the		
	263:15 ability to 'survive' this harsh environment of th	ne	
	263:16 cat and mouse game."		
	263:17 Do you see that.		
	263:18 A. Yes.		
	263:19 Q. What does that refer to?		
263:22 - 264:05	Gazneli, Tamir 2024-09-04	00:00:41	Gazneli4_30PM_v
	263:22 A. This is a domain that you are not in		er1.172
	263:23 control how long your capability will prolong a	at	
	263:24 least for total control, and that is why he quote		
	263:25 cat and mouse game. The ecosystem that you	were	
	264:01 working or acting makes changes eventually, for	orces	
	264:02 you to adjust it.		
	264:03 Q. So NSO designs an exploit, the		
	264:04 exploit gets shut down. NSO designs another,		
	264:05 right?		
264:08 - 264:09	Gazneli, Tamir 2024-09-04	00:00:02	Gazneli4_30PM_v
	264:08 Q. Is that generally the cat and mouse		er1.173
	264:09 game?		
264:12 - 264:19	Gazneli, Tamir 2024-09-04	00:00:26	Gazneli4_30PM_v
	264:12 A. This is the domain that you have to		er1.174
	264:13 stay to keep and find new vulnerabilities after	er e	
	264:14 the systems get changed.		
	264:15 Q. That is just inherent to the domain		
	264:16 in which NSO operates, right?		
	264:17 A. Yes.		
	264:17 A. Yes.		
	• • • •		
264:22 - 266:07	264:17 A. Yes. 264:18 Q. When something gets shut down, you 264:19 have to work to develop another?	00:02:02	Gazneli4 30PM v
264:22 - 266:07	264:17 A. Yes. 264:18 Q. When something gets shut down, you 264:19 have to work to develop another?  Gazneli, Tamir 2024-09-04	00:02:02	Gazneli4_30PM_v er1.175
264:22 - 266:07	264:17 A. Yes. 264:18 Q. When something gets shut down, you 264:19 have to work to develop another?  Gazneli, Tamir 2024-09-04 264:22 A. Eventually you have to provide	00:02:02	Gazneli4_30PM_v er1.175
264:22 - 266:07	264:17 A. Yes. 264:18 Q. When something gets shut down, you 264:19 have to work to develop another?  Gazneli, Tamir 2024-09-04		

P's Narrowed D's Counters 51 / 56

		<b>=</b>		
DESIGNATION	SOURCE	1	DURATION	I D
	265:01 Q.	And at this point in May 2019 when		
	265:02	the WhatsApp update prevented Eden from working		
	265:03	did NSO have any 0 click Android solutions?		
	265:04 A.	No.		
	265:05 Q.	Did the R&D group subsequently find		
	265:06	another 0 click installation vector for Android		
	265:07	devices?		
	265:08 A.	Yes.		
	265:09 Q.	What was that?		
	265:10 A.	ERISED.		
	265:11 Q.	When was ERISED approved for		
	265:12	production?		
	265:13 A.	I don't recall the exact date.		
	265:14 Q.	If we back to the exhibit we were		
	265:15	looking at, in that same message from Mr. Timor he		
	265:16	says:		
<b>P</b> P66.3.3	265:17	"R&D are working hard on different		
	265:18	directions. Hopefully we will have good news		
	265:19	soon, but please remember that our technological		
	265:20	status is still great as we as a company have the		
	265:21	resources to find something new in a relatively		
	265:22	short time."		
	265:23	Do you agree with his statement, sir?		
	265:24 A.	He was optimistic.		
	265:25 Q.	Is it accurate that at this point,		
	266:01	in May 2019, NSO's R&D group was working hard on		
	266:02	potential solutions to restore 0 click capability?		
	266:03 A.	As a research group and development		
	266:04	team, you constantly work on research in order to		
	266:05	find possible ways, rapid solutions. As I		
	266:06	explained before, eventually we are producing a		
	266:07	product for customers.		
266:14 - 266:22	Gazneli, Ta	amir 2024-09-04	00:00:57	Gazneli4_30PM_v
<b>⋧</b> Clear	266:14 Q.	Do you remember when ERISED began to		er1.176
	266:15	be used by customers, approximately?		
	266:16 A.	It is really not an exact time. I		
	266:17	couldn't tell.		
	266:18 Q.	What is your best estimate?		
	266:19 A.	If I recall right, it was close to		
	266:20	the end of the year.		

P's Narrowed D's Counters 52 / 56

	Gaznell4_30PM_ver1		
DESIGNATION	SOURCE	DURATION	I D
	266:21 Q. So late 2019?		
	266:22 A. If I recall right.		
267:02 - 267:04	Gazneli, Tamir 2024-09-04	00:00:07	Gazneli4_30PM_v
	267:02 Q. And up to that date in May 2020, did		er1.177
	267:03 ERISED remain in use?		
	267:04 A. Yes.		
267:05 - 267:10	Gazneli, Tamir 2024-09-04	00:00:34	Gazneli4_30PM_v
201.03 201.10	267:05 Q. So as to whether NSO has ever	00.00.51	er1.178
	267:06 stopped using ERISED, I assume that is a question		
	267:07 you are not willing to answer?		
	267:08 A. Regarding ERISED, the		
	267:09 vulnerabilities in ERISED was not in WhatsApp at		
	267:10 all and that part was eventually closed.		
267.22 269.06		00.00.21	Carnelia 20DM v
267:22 - 268:06	Gazneli, Tamir 2024-09-04	00:00:21	Gazneli4_30PM_v er1.179
	267:22 Q. But did it also work by transmitting		e11.179
	267:23 WhatsApp messages?		
	267:24 A. It was our decision whether to		
	<ul><li>267:25 trigger it using WhatsApp messages or not.</li><li>268:01 Q. So it could be triggered using</li></ul>		
	268:02 WhatsApp messages?		
	268:03 A. Yes.		
	268:04 Q. And were those WhatsApp messages		
	268:05 sent via WhatsApp servers?		
	268:06 A. Yes.		
260 10 260 22		00 00 22	C
269:19 - 269:23	Gazneli, Tamir 2024-09-04	00:00:22	Gazneli4_30PM_v
	269:19 Q. Just to be clear, after WhatsApp		er1.180
	269:20 closed the vulnerability that was being exploited		
	269:21 by Eden in May 2019, NSO worked on developing a		
	269:22 new 0 click exploit that also worked, at least in		
	269:23 part, by transmitting WhatsApp messages. Yes?		
270:01 - 270:05	Gazneli, Tamir 2024-09-04	00:00:18	Gazneli4_30PM_v
	270:01 A. We researched for a new solution.		er1.181
	270:02 We found vulnerability in the system which was		
	270:03 specific for Samsung and we decided to implement	t	
	it and build installation flow based on WhatsApp		
	270:05 application.		
270:06 - 270:10	Gazneli, Tamir 2024-09-04	00:00:14	Gazneli4_30PM_v
	270:06 Q. And after WhatsApp closed the		er1.182
	vulnerability that was being exploited by Eden,		
Die N	annual Discounting		F2 / FC

P's Narrowed D's Counters 53 / 56

	Guziicii 501 m_ vci z		
DESIGNATION	SOURCE	DURATION	I D
	270:08 NSO succeeded in developing a new 0 click		
	270:09 installation vector that operated in part through		
	270:10 delivering WhatsApp messages, yes?		
270:13 - 270:24	Gazneli, Tamir 2024-09-04	00:00:40	Gazneli4_30PM_v
	270:13 A. We found additional vulnerability		er1.183
	which we were able to build installation for using		
	270:15 that.		
	270:16 Q. And that installation vector,		
	270:17 ERISED, which used WhatsApp servers and WhatsA	рр	
	270:18 messages remained in use by NSO customers as of	at	
	270:19 least May 2020. Is that right?		
	270:20 A. Yes.		
	270:21 Q. So, with this lawsuit pending, NSO		
	was actively making available to its customers a 0		
	270:23 click exploit that involved the transmission of		
	270:24 messages over WhatsApp servers?		
271:01 - 271:08	Gazneli, Tamir 2024-09-04	00:00:23	Gazneli4_30PM_v
	271:01 A. I don't recall the exact time that		er1.184
	271:02 the lawsuit was filed.		
	271:03 Q. It was filed in October 2019. So		
	with that context, with this lawsuit pending NSO		
	was actively making available to its customers a 0		
	271:06 click exploit that involved the transmission of		
	271:07 messages over WhatsApp servers. Correct?		
	271:08 A. Yes.		
278:16 - 278:23	Gazneli, Tamir 2024-09-04	00:00:22	Gazneli4_30PM_v
	278:16 Q. And defendants needed WhatsApp		er1.185
	278:17 credentials to gain access to the WhatsApp		
	278:18 servers. Isn't that right?		
	<u> </u>		
	278:19 A. We need WhatsApp credentials in		
	278:19 A. We need WhatsApp credentials in 278:20 order to connect to the real WhatsApp environmen	t	
	278:20 order to connect to the real WhatsApp environmen	t	
	278:20 order to connect to the real WhatsApp environmen	t	
	278:20 order to connect to the real WhatsApp environment as a legitimate client.	t	
299:21 - 300:23	<ul> <li>278:20 order to connect to the real WhatsApp environment</li> <li>278:21 as a legitimate client.</li> <li>278:22 Q. As if you were a legitimate client?</li> </ul>	00:01:23	Gazneli4_30PM_v
299:21 - 300:23	order to connect to the real WhatsApp environment as a legitimate client.  278:22 Q. As if you were a legitimate client?  278:23 A. Yes.  Gazneli, Tamir 2024-09-04		Gazneli4_30PM_v er1.186
299:21 - 300:23	278:20 order to connect to the real WhatsApp environment as a legitimate client. 278:22 Q. As if you were a legitimate client? 278:23 A. Yes.  Gazneli, Tamir 2024-09-04 299:21 Q. The call offer, at least for some of		
299:21 - 300:23	order to connect to the real WhatsApp environment as a legitimate client.  278:22 Q. As if you were a legitimate client?  278:23 A. Yes.  Gazneli, Tamir 2024-09-04  299:21 Q. The call offer, at least for some of		Gazneli4_30PM_v er1.186

P's Narrowed D's Counters 54 / 56

DESIGNATION	SOURC	Е		DURATION	I D
	299:25	Q.	And is that true of all three of the		
	300:01		Hummingbird vectors?		
	300:02	A.	No.		
	300:03	Q.	Which ones is it true of?		
	300:04	A.	For Heaven and Eden.		
	300:05	Q.	And who at defendants came up with		
	300:06		the idea to manipulate that particular field?		
	300:07	A.	The research team.		
	300:08	Q.	When did defendants come up with		
	300:09		that idea?		
	300:10	A.	During the research efforts that		
	300:11		were done as part of the vulnerability research		
	300:12		and the exploitation research.		
	300:13	Q.	And roughly what was the time period		
	300:14		when that work was being done?		
	300:15	A.	Beginning of 2018 or end of 2017.		
	300:16	Q.	And defendants, as part of at least		
	300:17		the Eden and Heaven vectors, would insert a bash		
	300:18		script in the connecting tone desc field?		
	300:19	A.	Yes.		
	300:20	Q.	And WhatsApp users using the		
	300:21		official WhatsApp client app could not use the		
	300:22		connecting tone desc field. Is that right?		
	300:23	A.	Right.		

TOTAL RUN TIME	01:41:08
D's Counters	00:09:36
P's Narrowed	01:31:32

Documents linked to video:
P34
P44
P59
P60
P62
P63
P64

P's Narrowed D's Counters 55 / 56

P65 P66

P's Narrowed D's Counters 56 / 56